

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PHILADELPHIA COUNTY COURT OF COMMON PLEAS
TRIAL DIVISION

- - - - - x
IN RE: RISPERDAL LITIGATION :
:
THIS APPLIES TO ALL CASES : March Term 2010
:
Case No. 296
- - - - - x

Toronto, Ontario, Canada
Friday, December 14, 2012

Videotaped Deposition of:
DR. DENIS DANEMAN
the witness, called for examination by counsel
for the Plaintiffs, pursuant to notice and
agreement, commencing at 9:20 a.m., at Toronto
Court Reporters, 65 Queen Street West, Suite 1410,
Toronto, before Virlana Kardash, RPR, CSR,
Commissioner of Oaths, when were present on behalf
of the respective parties:

Page 2

1 APPEARANCES:

2

3 On behalf of the Plaintiffs:

4 CHRISTOPHER GOMEZ, Esquire

5 SELLER P.C.

6 1528 Walnut Street 3rd floor

7 Philadelphia, Pennsylvania 19102

8 215-790-7325

9

10 On behalf of Defendant Janssen Ortho:

11 WILLIAM ESSIG, Esquire

12 DINKER BIDDLE & REATH

13 191 North Wacker Drive Suite 3700

14 Chicago, Illinois 60606

15 312-569-1497

16

17 On behalf of Defendants Excerpta Medica and

18 Elsevier Inc.:

19 M. TODD MOBLEY, Esquire

20 PROSKAUER ROSE

21 Eleven Times Square

22 New York, New York 10036

23 212-969-3212

24

25 Also present: Videographer P. Rodney Barnes

Page 4

1 Exhibit No. 10 142

2 Exhibit No. 11 148

3 Exhibit No. 12 153

4 Exhibit No. 13 156

5

6

7

8 -----

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Page 3

1 CONTENTS

2

3 EXAMINATION OF DR. DANEMAN PAGE NO.

4 By Mr. Gomez 6

5 By Mr. Essig 140

6 By Mr. Gomez 161

7

8

9

10

11

12

13 EXHIBITS

14 (Attached to Transcript)

15

EXHIBIT	PAGE
16 Exhibit No. 1	10
17 Exhibit No. 2	23
18 Exhibit No. 3	32
19 Exhibit No. 4	49
20 Exhibit No. 5	75
21 Exhibit No. 6	101
22 Exhibit No. 7	113
23 Exhibit No. 8	130
24 Exhibit No. 9	135

25

Page 5

1 PROCEEDINGS

2

3 VIDEOGRAPHER: Good morning. This is P.

4 Rodney Barnes, legal videographer from Legal Video

5 Services, in association with Toronto Court Reporters.

6 We are going on the record on Friday, December 14,

7 2012, at the time indicated on the video, which is

8 9:20 a.m.

9 Here begins videotape No. 1 in the deposition of

10 Dr. Denis Daneman, taken by the plaintiff, in the

11 matter of In Re" Risperdal Litigation. March term

12 2010, case No. 296, pending in the Philadelphia County

13 Court of Common Pleas, Trial Division, and being held

14 at Toronto Court Reporters, 65 Queen Street West,

15 suite 1410, Toronto, Ontario, Canada.

16 The court reporter is Virlana Kardash, RPR, CSR,

17 from the firm Toronto Court Reporters. Counsel,

18 please introduce yourselves and state whom you

19 represent. Then the court reporter will swear in or

20 affirm the witness.

21 MR. GOMEZ: Christopher Gomez for the

22 plaintiffs.

23 MR. ESSIG: Bill Essig on behalf of the

24 Janssen defendants.

25 MR. MOBLEY: Todd Mobley on behalf of

Page 6

1 defendants Excerpta Medica and Elsevier Inc.
 2 Whereupon,
 3 DR. DENIS DANEMAN
 4 was called as a witness and, having first been duly
 5 sworn, was examined and testified as follows:
 6 EXAMINATION
 7 BY MR. GOMEZ:
 8 Q Good morning, Dr. Daneman.
 9 A Good morning.
 10 Q My name is Christopher Gomez. I represent
 11 a number of plaintiffs who have filed lawsuits in the
 12 Philadelphia County Court of Common Pleas against the
 13 manufacturers of Risperdal and a medical writing
 14 company named Excerpta Medica and Elsevier.
 15 Are you familiar with that litigation in any
 16 way?
 17 A I am.
 18 Q Okay. We're going to talk about that a
 19 little bit later, but before we begin, if you need to
 20 take a break at any time, please let me know, and
 21 we'll be sure to accommodate you. Have you ever been
 22 deposed before?
 23 A I have.
 24 Q I won't spend too much time then, but I
 25 just want to go over a few ground rules. I'll be

Page 7

1 asking the questions, and you'll be giving the
 2 answers. Do you understand that?
 3 A I do.
 4 Q If at any time you don't understand my
 5 question or you want me to rephrase my question, will
 6 you let me know?
 7 A Absolutely.
 8 Q So if you do answer my questions, I'm going
 9 to assume you understood them and have given your best
 10 answer; is that fair?
 11 A Yes.
 12 Q We need to keep our answers yes or no, no
 13 nodding of the heads or saying "uh-huh" or "unh-unh"
 14 so the court reporter can get that down. Can you try
 15 to do that?
 16 A Yes.
 17 Q Okay. Dr. Daneman, what type of doctor are
 18 you?
 19 A I'm a pediatrician and a pediatric
 20 endocrinologist.
 21 Q What is a pediatric endocrinologist?
 22 A So to become a pediatric endocrinologist,
 23 you have to have completed your training in pediatrics
 24 after medical school -- in Canada, you're Royal
 25 College certified or, in the U.S., board certified in

Page 8

1 pediatrics -- and then do a period of training in a
 2 subspecialty called Pediatric Endocrinology, which
 3 basically deals with the hormone conditions of the
 4 body.
 5 So there would be two or three major groups.
 6 Diabetes in childhood would be one major group.
 7 Calcium and bone problems would be a second major
 8 group, and then general endocrine problems would be
 9 the third major group.
 10 Q Okay. We're in Toronto, Canada?
 11 A Yes.
 12 Q How long have you worked in Toronto?
 13 A In my present situation, since 1981.
 14 Q And if you don't mind, give me your present
 15 title and where you work.
 16 A I work at the Hospital for Sick Children
 17 and the University of Toronto in the Department of
 18 Pediatrics where I'm currently Professor and Chair of
 19 the department of Pediatrics at the University of
 20 Toronto and Pediatrician in Chief at the Hospital for
 21 Sick Children.
 22 MR. ESSIG: Doctor, if I may, you may want
 23 to take your time. It's going to be hard for our
 24 court reporter.
 25 THE COURT REPORTER: Slow down. Thank you.

Page 9

1 BY MR. GOMEZ:
 2 Q Dr. Daneman, you kindly provided me with
 3 your curriculum vitae, which is numerous pages. Would
 4 it be fair to say -- and I'm generalizing. So tell me
 5 if I really am, but one of your main focuses of your
 6 practice and your career has been in pediatric
 7 diabetes; is that a fair assessment?
 8 A Yes.
 9 Q Have you ever written any journal articles
 10 in concert with the Janssen Pharmaceutical companies
 11 on that issue?
 12 A On diabetes?
 13 Q Yes.
 14 A No.
 15 Q We're going to get into it in more detail,
 16 but one of the main things we're going to talk about
 17 today is an article that was published in 2003 that
 18 you were one of the authors of.
 19 A Yes.
 20 Q Are you familiar with that article?
 21 A Yes.
 22 Q When was the last time you read that
 23 article?
 24 A I suspect this morning.
 25 Q Did you read the published manuscript?

Page 10

1 A Yes.

2 Q I'm going to be showing you a number of

3 documents today and asking you questions about them,

4 but the first thing I'm going to do and mark as an

5 exhibit is a document that you brought today which is

6 a two-page document entitled "Denis Daneman Comments

7 on Prolactin."

8 Let me just mark that as Exhibit 1.

9 (Whereupon, Exhibit No. 1 was marked for

10 identification.)

11 MR. ESSIG: Chris, were you planning on

12 marking the curriculum vitae as well? I have a copy

13 if you want to mark that.

14 MR. GOMEZ: Yes. Remind me to do that as

15 well. I'll do it right after this. I'm not going to

16 ask him any questions about it, but we might as well

17 put it on the record.

18 BY MR. GOMEZ:

19 Q Doctor, I'm going to hand you what I've

20 marked as Exhibit 1. And if you wouldn't mind handing

21 your copy there to Mr. Essig so I'll have one to ask

22 you questions about. Doctor, prior to this

23 deposition, did you receive a request in writing or

24 any other way to produce certain documents in

25 preparation for your deposition today?

Page 11

1 A I did. I did.

2 Q Is this one of those documents?

3 A I produced what I had, and then I found one

4 more document, and this was it. So that's why I

5 produced it today.

6 Q Okay. And this is entitled "Denis Daneman

7 Comments on Prolactin." Do you see that at the top?

8 A Yes.

9 Q When did you write this?

10 A I can't give you an exact date, but prior

11 to the publication of that article, I put together

12 some thoughts on how one would go about looking at the

13 effect of prolactin on the body if you will.

14 Q The effects of the prolactin on the body,

15 would that be specifically as to children and

16 adolescents?

17 A Yes. I have no experience in adult.

18 Q Did you do this on your own, or did someone

19 ask you to do this, to write this?

20 A I did this as a way of thinking through how

21 we should analyze the data that came up in the Janssen

22 Ortho Risperdal studies.

23 Q After you wrote this up, did you send it to

24 anybody?

25 A I cannot recall.

Page 12

1 Q Just so the jury understand -- and this is

2 on videotape. So there's a high likelihood the jury

3 will see this deposition -- can you tell them what

4 prolactin is?

5 A Prolactin is a hormone that is secreted by

6 the anterior part of the pituitary gland, and its main

7 function is to support lactation in the woman who has

8 just given birth to a baby. That's the lactin part of

9 it. It stimulates the lactotrophs in the breast to

10 produce milk. That's its major role.

11 Q Okay. And the first No. 1 here is the

12 segmentation of prolactin levels. What does that

13 mean?

14 A I tried at this time when I put this

15 together to determine from the literature if there was

16 a level of prolactin that had clinical significance.

17 And this is the best I could come up with. Levels

18 above 200 usually are associated with a tumor in the

19 pituitary gland in which the lactotrophs -- those are

20 the cells that produce prolactin -- form a tumor and

21 produce prolactin in an uncontrolled manner.

22 And you usually have levels above 200. And that

23 might be associated with a whole variety of side

24 effects, not at all common in the pediatric population

25 under 18. Much more common in the adult population.

Page 13

1 My reading of the literature at the time was

2 that in the 100 to 200 range, it was usually

3 clinically important that below 100 it was difficult

4 to be sure of what the importance was. And below 30,

5 I couldn't find evidence that it was clinically

6 important.

7 Then I made the comment that levels can be

8 influenced by stress, usually not above 50, although

9 we have seen them occasionally above 50. And I

10 wondered for the purposes of the Janssen Ortho

11 Risperdal studies whether we should segment into

12 tertiles according to available data or else below the

13 upper limits of normal, 25 to 30, in the 30 to 50, and

14 above 50 range to see if -- the problem is that this

15 is not a binary or ternary thing.

16 It's a constant. It doesn't go from 25 to 50

17 and then to 150. So this was just my thinking aloud.

18 Q When you're talking about these levels, is

19 this a one-time level? Or is this -- did you take

20 into account prolonged use and prolonged prolactin

21 elevation at these different --

22 A So --

23 Q I'm sorry. Let me finish my question.

24 It's just easier for the court reporter. The length

25 of time that somebody has elevated prolactin levels,

1 did you take that into account or do a search on that
2 issue?

3 MR. ESSIG: Objection to the form.

4 BY MR. GOMEZ:

5 Q You can answer.

6 A So if you go down to No. 4, relationship of
7 level to side effects, my assessment from the
8 literature I read was that the higher the level, the
9 more likely -- my spelling there is incorrect I notice
10 now.

11 The higher the level of prolactin, the more
12 likely the side effects. But the duration was very
13 important as well. Although I cannot recall finding
14 anything to suggest what the duration exactly was that
15 required attention.

16 Q You say the higher the level equals more
17 likely; that's what you wrote here?

18 A More likely side effects. So above 200
19 more likely than below 100.

20 Q And when you say "side effects," what are
21 we talking about?

22 A So what prolactin does besides in the woman
23 who's just given birth to a baby and has an estrogen
24 primed ductular system in the breast, it stimulates
25 production of milk in the breast. That's the effect

1 Q Let me back up and follow up on
2 gynecomastia. That is breast growth in males; is that
3 right?

4 A Yes.

5 Q That's how it's defined?

6 A Yes.

7 Q You say it's usually fatty. What do you
8 mean by that?

9 A So in many males who are a little
10 overweight, there can be fatty tissue in the breast
11 region, and we call that pseudogynecomastia. True
12 gynecomastia is when the duct tissue of the breast is
13 actually enlarged.

14 You can have both together. You can have one or
15 the other.

16 Q When you say that one of the side effects
17 of prolactin is gynecomastia -- correct -- is that
18 what you wrote here?

19 A I wrote that. It can be.

20 Q Is one of the side effects of prolactin
21 fatty gynecomastia?

22 A So the more fatty tissue in the breast, the
23 more likely the testosterone to estrogen conversion
24 that I believe is the spirit in which that's written.
25 Although I can't go back 12 years or so and tell you

1 of prolactin.

2 In somebody who's not just had a baby, high
3 prolactin levels can in females -- and this is under
4 No. 2 -- delay puberty, cause menstrual disturbances,
5 amenorrhea, or loss of menstrual cycles, decrease in
6 the number of menstrual cycles, menorrhagia, which is
7 increased bleeding during menstrual cycles,
8 infertility and galactorrhea.

9 Galactorrhea is production of milk from the male
10 or female breast at a time that they're not pregnant
11 or lactating. In the male, it can cause
12 hypergonadism, which is underactivity of the
13 testicles, gynecomastia, which is male breast
14 development.

15 And in brackets I put there it's often fatty
16 tissue rather than true gynecomastia. Galactorrhea is
17 much less common in the male than the female. It can
18 delay puberty. And there have been occasional case
19 reports of high prolactin levels interfering with
20 growth.

21 But that's very, very unusual and I don't think
22 holds up. There's also some question as to the effect
23 of long-term high prolactin levels on bone mineral
24 density and also on sexual function, libido, sexual
25 desire and function as well.

1 exactly why that's there.

2 Q Would it be fair to say that true
3 gynecomastia is usually evidenced by some sort of
4 breast tissue?

5 A Yes.

6 Q And that can be seen or palpated by a
7 doctor?

8 A Yes. Yes.

9 Q Going back to the segmentation of prolactin
10 levels, if you have a prolactin level between 18 and
11 30 and it's prolonged, is the likelihood of side
12 effects more prevalent?

13 A I have no evidence that it's prevalent at
14 all. I have nothing in the literature that guides me
15 on that nor clinical experience.

16 Q Under No. 5 you have value of Tanner
17 staging. What did you mean when you wrote that, that
18 title "Value of Tanner Staging"?

19 A Tanner staging gives you an idea of where
20 the child that you're examining is on the continuum of
21 prepuberty, early, mid, late puberty, and full sexual
22 maturation. And if you're going to look at side
23 effects of a medication on pubertal development, you
24 need to know where they are in puberty to be able to
25 make a comment on that.

Page 18

1 Q Can a young man in puberty, ages 12 to 14,
2 develop gynecomastia from prolactin elevation?
3 A It's a very good question, and the
4 literature doesn't answer that with a definitive
5 answer. The number of boys in the 12 to 14 year age
6 group in puberty who have gynecomastia without
7 elevation of prolactin is very high.
8 At some point in time, if you examine boys
9 throughout puberty as they go through Tanner stages
10 two, three, and up to four, up to two thirds of them
11 will have some degree of breast tissue that develops
12 and then regresses over time.
13 Q So if I understand you correctly, the fact
14 that a boy is in puberty -- okay -- it's more likely
15 that if he develops gynecomastia, it's from normal
16 development through puberty?
17 A Pubertal gynecomastia, yes.
18 Q But -- strike that. Can a boy 12 to 14 in
19 puberty develop gynecomastia from prolactin elevation
20 caused by antipsychotics?
21 MR. ESSIG: Objection to the form.
22 THE WITNESS: I don't have a definitive
23 answer to that from the medical literature.
24 BY MR. GOMEZ:
25 Q Based on your research and what you did

Page 19

1 back before 2003, for example, in writing this up, can
2 you categorically dismiss antipsychotic-induced
3 prolactin elevation as a cause for gynecomastia in a
4 boy in puberty?
5 MR. ESSIG: Objection to the form.
6 THE WITNESS: How do I respond to the
7 objection?
8 BY MR. GOMEZ:
9 Q You can answer. Did you understand my
10 question?
11 A I don't understand the word "categorical"
12 in the medical sense of the term. It requires me to
13 give you a black and white answer, and the answer from
14 the medical literature doesn't allow the answer to say
15 categorically prolactin can cause it.
16 And one has to recognize that the medical
17 literature stands at that point in time where the
18 comments were made at that study, and it's free to be
19 turned over by any subsequent studies. And I haven't
20 seen anything in the literature since then that would
21 categorically turn over the conclusions of that
22 particular study.
23 Q Okay. Let me back up and just ask a
24 general question. Okay? Are you familiar with
25 Risperdal and what type of drug it is?

Page 20

1 A Yes.
2 Q It's an atypical antipsychotic?
3 A Absolutely.
4 Q Is it your understanding that Risperdal
5 raises prolactin? That's one of its side effects, so
6 to speak?
7 A Yes.
8 Q And as a result of Risperdal raising
9 prolactin, it can cause gynecomastia, generally?
10 MR. ESSIG: Object to the form.
11 THE WITNESS: It can.
12 BY MR. GOMEZ:
13 Q With what we just talked about right there
14 and what you spoke earlier about puberty, if a boy
15 between the ages of 12 and 14 develops gynecomastia,
16 does the fact that he's in puberty eliminate all other
17 causes of the gynecomastia?
18 MR. ESSIG: Object to the form.
19 THE WITNESS: So you'd have to have a
20 significantly higher incidence of gynecomastia with
21 the agent that you're talking about to be able to make
22 a cause-and-effect relationship.
23 BY MR. GOMEZ:
24 Q If a doctor, an endocrinologist or any
25 other doctor, is determining what caused a 12 to 14

Page 21

1 year old boy's gynecomastia, can he dismiss out of
2 hand every other cause just because that boy is in
3 puberty?
4 A No.
5 MR. ESSIG: Objection to the form.
6 BY MR. GOMEZ:
7 Q We were talking about pubertal development
8 in gynecomastia. You mentioned that the percentage is
9 very high. Do you remember talking about that?
10 A Yes.
11 Q Where did you get that from?
12 A From the medical literature textbooks and
13 over many years of experience of seeing hundreds of
14 thousands of teenage boys. You know, you said earlier
15 my major career is in pediatric diabetes. We see tons
16 of teenage boys. We do the examination.
17 We stage their puberty. And a significant
18 number of them develop gynecomastia during the early
19 to mid stages of puberty.
20 Q Can you put a percentage on the percentage
21 of boys in puberty between the ages of 12 and 14 who
22 develop gynecomastia?
23 MR. ESSIG: Objection to the form.
24 THE WITNESS: It's been said if you examine
25 them carefully, up to two thirds would have some

1 evidence of gynecomastia.

2 BY MR. GOMEZ:

3 Q Are you referring to what they call the
4 "Boyscout Study" or the study by Neidich(ph.) from
5 1961?

6 A I can't tell you who wrote the article.
7 This came from Clinical Endocrinology and Metabolism
8 some years ago. And I don't have the reference at my
9 fingertips.

10 Q You can put that aside. I might come back
11 to that. I'll take Mr. Essig up on his offer for a
12 copy of your CV. I just want to put that on the
13 record. Do you have a copy we can mark and the doctor
14 can look at?

15 (Whereupon, Exhibit No. 2 was marked for
16 identification.)

17 MR. ESSIG: Do you want me to give it to
18 him?

19 MR. GOMEZ: That's fine. Let me just put a
20 sticker on it.

21 BY MR. GOMEZ:

22 Q Doctor, I've marked as Exhibit 2 your
23 curriculum vitae. You list -- in your CV you list by
24 year the number of publications that you were an
25 author on. Is that a fair assessment?

1 that, do you remember any specific posters from this
2 article that were published earlier than 2003?

3 A There was one poster which is referred to
4 in some of the information I gave you.

5 Q Okay. Now, who is Dr. Findling?

6 A Dr. Findling is a child psychiatrist in
7 Cleveland who is a principal investigator of a number
8 of studies of different medications in children with
9 different types of psychiatric conditions.

10 Q When was the last time you spoke to Dr.
11 Findling, if you remember?

12 A I spoke to him probably in the last six
13 months.

14 Q Okay. Did you talk about this article at
15 all?

16 A So Dr. Findling came as a potential
17 candidate for a job interview at the University of
18 Toronto and the Hospital for Sick Children. And I was
19 interviewing him, and I mentioned that we had
20 coauthored an article together.

21 And that's all we said.

22 Q Do you know where he currently works?

23 A At that time he was in Cleveland, and I
24 believe he's still there.

25 Q Who is Dr. Or V. Kusumaker? Do you know

1 A Yes.

2 Q Did you list any posters?

3 A I haven't put any posters for a long time
4 because there are just too many. I've left posters
5 off for a long time because it got to be 200, 300
6 plus, and I just took them off my CV.

7 Q Fair enough. Let's go to page -- it
8 doesn't have a page number, but 2003. It's about
9 halfway through. Are you there?

10 A I opened it on that page.

11 Q Where the numbers under 2003 starts at 128?

12 A That's where I am.

13 Q Okay. On No. 131 --

14 A Yes.

15 Q It reads Findling R., Kusumaker V., Daneman
16 D. -- that's you; correct?

17 A Correct.

18 Q Moshang T.?

19 A Yes.

20 Q DeSmedt G.?

21 A Yes.

22 Q Binder S. And it's entitled "Prolactin
23 Levels During Long-term Risperidone Treatment in
24 Children and Adolescents," The Journal of Clinical
25 Psychiatry. Do you remember -- now that I've read

1 who that is?

2 A I believe he's a Canadian psychiatrist
3 who's been part of these studies as well.

4 Q Do you know who Dr. Kusumaker worked for?

5 A No.

6 Q Do you know who Dr. Thomas Moshang is?

7 A Thomas Moshang was the Head of Pediatric
8 Endocrinology of Children's Hospital of Philadelphia
9 at the University of Pennsylvania at the time. He's
10 since deceased.

11 Q Do you know Goedele DeSmedt?

12 A I do not.

13 Q Who is S. Binder?

14 A Carin Binder worked for Janssen Ortho at
15 the time, and she was the one who coordinated the
16 contributions that Tom Moshang and I made to this
17 article.

18 Q It's Carin Binder, not "S. Binder"; is that
19 fair?

20 A Yes. Correct.

21 Q Is Carin Binder a doctor?

22 A No.

23 Q What does she do?

24 A She worked in Janssen Ortho at the time, I
25 believe, in clinical trials.

1 Q And Janssen Ortho is a Canadian company?
 2 A I don't know where it's headquartered.
 3 Q When was the last time you spoke to Carin
 4 Binder?
 5 A About this study, probably there 2003,
 6 2004. And I saw her once again at a meeting somewhere
 7 in the interim. I cannot recall where. We just said
 8 hello to each other, and that was it.
 9 Q What was your understanding of the purpose
 10 of this medical article?
 11 A So Risperidone was a relatively new
 12 treatment for these indications. You need to watch
 13 for two things. One is effectiveness or efficacy, and
 14 the other is the potential for side effects. And this
 15 was putting together of a number of studies that had
 16 been done in different places to see whether -- let me
 17 take a step back.
 18 Q Sure.
 19 A So any time you do a study, you have a
 20 primary outcome which is based on effectiveness, and
 21 you have secondary outcomes, which can be secondary
 22 effectiveness or side effects. And you may have the
 23 power to look at the primary outcome but not the power
 24 to look at the secondary outcome.
 25 So putting together a number of studies and

1 looking for the secondary outcomes and the side
 2 effects may give you much more information than just
 3 looking at a single one.
 4 Q And your role was to deal with the
 5 secondary outcomes that dealt with the safety issues?
 6 A Specifically around prolactin.
 7 Q Do you remember specifically when you were
 8 first contacted by Carin Binder?
 9 A No.
 10 Q Were you contacted by Carin Binder, or you
 11 were you contacted by Dr. Moshang?
 12 A I can't remember talking to Tom Moshang at
 13 that time.
 14 Q Do you recall when you first heard of the
 15 term or the acronym "SHAP"?
 16 A As part of this study analysis.
 17 Q That was the first time you heard of the
 18 acronym "SHAP"?
 19 A Yes.
 20 Q And SHAP is side effects hypothetically
 21 attributable to prolactin. That's one thing I've
 22 seen. Is that right?
 23 A Yes.
 24 Q Do you know where the term came from?
 25 A No.

1 Q Let me -- sticking with your CV, going to,
 2 I think, No. 143. Are you there?
 3 A I'm there.
 4 Q And No. 143 is from the year 2004, and it's
 5 another journal article with the lead author of Dunbar
 6 F. or Fiona Dunbar; is that correct?
 7 A Yes.
 8 Q Did you meet before this article was
 9 published, or did you meet her after?
 10 A Before this article was published.
 11 Q And again, there's Dr. Kusumaker; do you
 12 see him?
 13 A Yes.
 14 Q And you're one of the listed authors there,
 15 D. Daneman; correct?
 16 A Yes.
 17 Q M. Schulz, who is that?
 18 A Miklos Schulz is a biostatistician who's
 19 company did the biostatistical analysis of these data.
 20 Q And Miklos Schulz is, as far as your
 21 understanding, a Janssen employee?
 22 A No.
 23 Q No?
 24 A Not from my understanding at all.
 25 Q Who does he work for?

1 A I thought he had his own private company
 2 that did biostatistical analysis.
 3 Q And the title of this article was "Growth
 4 and Sexual Maturation during Long-Term Treatment with
 5 Risperidone"?
 6 A Yes.
 7 Q American Journal of Psychiatry. And it's
 8 published in 2004; correct?
 9 A Yes.
 10 Q What was your role in this publication?
 11 What were you asked to do?
 12 A To review the data as they were produced.
 13 Q You talked about looking at these articles.
 14 In your CV you've mentioned studies. Do you remember
 15 doing that?
 16 A Yes.
 17 MR. ESSIG: Object to form.
 18 BY MR. GOMEZ:
 19 Q Do you know what studies are you talking
 20 about?
 21 A So in the first article, there were five
 22 studies, and the data were pooled from that. And the
 23 second article, the data came from those studies. I'd
 24 have to check directly to see which ones.
 25 Q Do you know what type of studies they were?

Page 30

1 A To the best of my knowledge, they were
2 randomized control trials.
3 Q Have you ever heard of a study R-I-S-I-N-T
4 41?
5 A I don't know any studies by those titles.
6 Can you give me the name of the study?
7 Q Sure. Do you remember -- I'm just trying
8 to gather what you remember without looking at any
9 documents. Do you remember an open label study?
10 A There was an open label study among them,
11 yes.
12 Q What information were you given from those
13 five studies?
14 A To the best of my knowledge, we were -- I
15 was given -- and I suspect Tom Moshang was given the
16 same thing -- a description of what the studies were
17 and what the outcomes of those studies were.
18 Q Did you look at any of what they call
19 clinical study reports?
20 A Those individual patient study reports?
21 Q Do you know what a clinical study report
22 is?
23 A So are we talking about the individual
24 patient, or are we talking about the group data?
25 Q I'm talking about the individual studies.

Page 31

1 There was five of them. Correct?
2 A Yes.
3 Q Did you review any of the --
4 A I cannot recall that information.
5 Q Did you look at any of the underlying
6 individual subject data for side effects?
7 A No.
8 Q What did you do to prepare for your
9 deposition today?
10 A I took out what I had, and I read the
11 articles that we'd written.
12 Q And the documents that you reviewed are the
13 four documents that were attached to an email that you
14 sent to Mr. Essig?
15 A I didn't go through those in any detail
16 because those were the earlier drafts of the final
17 component.
18 Q So in preparation for your deposition
19 today, you didn't review any of the drafts?
20 A No.
21 Q Of the 2003 article?
22 A No.
23 Q I'm going to mark as an exhibit, as
24 Exhibit 3, an email. Doctor, did you review this
25 document in preparation for your deposition today?

Page 32

1 A No.
2 (Whereupon, Exhibit No. 3 was marked for
3 identification.)
4 BY MR. GOMEZ:
5 Q Could you take a moment and just briefly
6 look it over before I ask you some questions. Are you
7 finished?
8 A I am.
9 Q I'm going to specifically focus on the
10 front page of the email that was from Carin Binder
11 dated August 29, 2001. Do you see that there?
12 A I do.
13 Q And it's to numerous individuals; some are
14 carbon copied. And the subject is "Prolactin
15 Analysis." Did I read that right?
16 A Correct.
17 Q You're not listed as any of the
18 recipients --
19 A Correct.
20 Q -- on this email; correct?
21 A Correct.
22 Q Do you know Ivo Caers?
23 A No.
24 Q Do you know who Rosanna Riccardelli is?
25 A No.

Page 33

1 Q Do you know Albert Derivan?
2 A No.
3 Q After reading this email, does it refresh
4 your recollection regarding a meeting that took place
5 between Rosanna Riccardelli, Carin Binder, and
6 yourself in 2001?
7 A I met with Carin Binder on more than one
8 occasion, and sometimes there was somebody with her.
9 I cannot recall the name of the person. So that name
10 doesn't ring a bell. But what's discussed here is
11 very similar to what's discussed in that document you
12 labeled 1.
13 Q Okay. Okay. The document that you brought
14 with you this morning?
15 A Yes.
16 Q Let me -- do you know what is referred to
17 or what is meant by prolactin analysis?
18 A I presume the prolactin data in these
19 studies.
20 Q Let me read the first paragraph of the
21 email, and then I have a few questions for you. It
22 says, "Dear All, a quick update regarding the
23 prolactin analysis. Rosanna and I met with Dr. Denis
24 Daneman, who is a peer of Dr. Tom Moshang and a
25 pediatric endocrinologist."

1 "Our reasons for meeting with Dr. Daneman were
2 to review the analysis plan and obtain additional
3 validation that the areas Dr. Moshang wished to focus
4 on had a broad appeal, not just to ped endos but to
5 answer questions from pediatricians, GPs, et cetera."

6 Did I read that correctly?

7 A Correct.

8 Q Do you know what she meant when she wrote
9 that she wanted additional validation that the areas
10 Dr. Moshang wished to focus on. Do you know what she
11 meant by that?

12 A I'd be presuming. I'd be speculating. My
13 speculation was that she wanted to make sure that Tom
14 Moshang was not missing anything and that it was as
15 broad as you'd need to have recommendations for
16 pediatricians and general practitioners who would be
17 using this medication so they'd have as much
18 information at their disposal as possible.

19 Q Do you remember in 2001 or at any time
20 prior to your meetings with Ms. Binder and at those
21 meetings a discussion on the issue of general
22 practitioners or pediatricians having concern about
23 Risperdal raising prolactin and causing side effects
24 like gynecomastia?

25 A There was previously evidence that

1 confidentiality agreement so that I don't have the
2 right to go off and publish it somewhere else.

3 Q Okay. She goes on to write, "Firstly,
4 Dr. D. felt that the prolactin elevation seen in the
5 trials were not grossly abnormal." Do you remember
6 what you reviewed to make that determination?

7 A I don't remember what the first data that
8 we reviewed were.

9 Q Were you ever shown tables of statistics or
10 tables of data combining all five studies?

11 A Yes.

12 Q Did you search for that prior to this
13 deposition?

14 A I did.

15 Q You did not have it anymore?

16 A Didn't have it.

17 Q She goes on to write, "He stated that there
18 was nothing in the literature or in guidelines to lead
19 endos to create cutoff points except as follows: Less
20 than 30, NG is okay. 30 to 100 is the gray zone. And
21 greater than 100 is abnormal."

22 "And greater than 200, grossly abnormal." Did I
23 read that correctly?

24 A Correct.

25 Q That's consistent with what we saw in

1 prolactin -- that it was increased with Risperdal.
2 And that's why this analysis was being formed. So
3 that any side effects could be detected.

4 Q Do you remember any discussions that
5 doctors treating children -- endocrinologists, general
6 practitioners, pediatricians -- children on Risperdal
7 were showing concern about prolactin-related side
8 effects and were contacting the company to ask
9 questions about that?

10 MR. ESSIG: Objection to the form and
11 foundation.

12 BY MR. GOMEZ:

13 Q Do you recall anything like that?

14 A No.

15 Q She goes on to write, "Here is a synopsis
16 of the conversation. And in parenthesis note Dr.
17 Daneman signed a confidentiality agreement, was not
18 involved in the P trials, nor does he know anyone that
19 was involved."

20 Did I read that correctly?

21 A Correct.

22 Q Why were you asked to sign a
23 confidentiality agreement?

24 A In dealing with data that's being analyzed
25 and that doesn't belong to me, we always sign a

1 Exhibit 1?

2 A Yes.

3 Q "The important thing to consider is the
4 height of prolactin elevation and the duration or
5 elevation. The higher and longer the prolactin stays
6 up, the more risk of sequelae." Did I read that
7 correctly?

8 A Correct.

9 Q Is that -- at this point if you remember,
10 was that your opinion, or did you learn that from the
11 literature?

12 A That would have been my opinion, confirmed
13 by the literature.

14 Q She goes on to write, "Dr. D. looked at the
15 adverse events attributed to elevated prolactin, i.e.
16 the 16 cases with gynecomastia, et cetera, and felt
17 that these events might also be explained by reasons
18 other than risperidone."

19 "For example, any boy going through puberty has
20 a decrease in testosterone and an increase in
21 estrogen. So it's not unusual to see some evidence of
22 gynecomastia in boys aged 12 to 14 years." Did I read
23 that correctly?

24 A Yes. But there's an error in how it's
25 written. Any boy going through puberty has an

1 increase in testosterone, which is then converted to
2 estrogen. So it's not a decrease in testosterone.
3 It's an increase in testosterone and increase in
4 estrogen.

5 Q Okay.

6 A So that statement --

7 Q So it should read, "Any boy going through
8 puberty has an increase in testosterone and an
9 increase in estrogen" is what it should say?

10 A Yes.

11 Q Okay. Okay. Do you remember what you
12 looked at specifically as to the adverse events
13 attributed to elevated prolactin, the 16 cases with
14 gynecomastia, et cetera?

15 A I can't remember the exact documentation.

16 Q Do you remember looking at any Janssen
17 documents that they gave to you which listed the
18 adverse events in these studies along with the
19 clinical investigators' determination of drug
20 relationship?

21 MR. ESSIG: Objection to form.

22 THE WITNESS: I remember being exposed to a
23 number of different documents, but it's too long ago
24 to remember the exact nature of those documents.
25

1 BY MR. GOMEZ:

2 Q Do you remember talking to any of the
3 clinical investigators in these studies?

4 A I didn't talk to any.

5 Q You did not talk to any clinical
6 investigators?

7 A Dr. Findling and Kusumaker were part of a
8 discussion right towards the end of the analysis.

9 Q But you never spoke to any of the clinical
10 investigators that were at the different sites that
11 the studies took place?

12 A No. No.

13 Q Did you ever look at any of the specific
14 adverse event reports for each of these 16 cases?

15 A As I said before, I was exposed to some
16 information on each of these cases. I cannot remember
17 the exact nature of the documents that I saw.

18 Q It says here that you felt that these
19 events may also be explained by reasons other than
20 Risperdal; do you see that?

21 A Yes. Front page.

22 Q Do you remember if you were asked to
23 provide an alternative explanation for the causes of
24 these 16 cases of gynecomastia, et cetera, other than
25 risperidone?

1 A There was always a discussion of
2 gynecomastia as part of the normal pubertal
3 development. That was the major one that reoccurred.

4 Q Did anybody ever come to you and say, "Dr.
5 Daneman, here's some data. We have some clinical
6 studies where we've seen these adverse events
7 attributed to elevated prolactin, 16 cases of
8 gynecomastia, et cetera"?

9 "Is there any other explanation for this besides
10 Risperdal treatment?"

11 MR. ESSIG: Objection to the form.

12 THE WITNESS: I do recall talking to Tom
13 Moshang at a meeting and discussing how best to look
14 at a situation where you had a high frequency of
15 gynecomastia in a group, and you had an intervention
16 which potentially could cause gynecomastia, which is
17 how I believe that SHAP A and SHAP B came into being.

18 BY MR. GOMEZ:

19 Q Now, we talked about that there were five
20 studies; correct?

21 A Yes.

22 Q Were you aware that these 16 cases of
23 gynecomastia, et cetera, came from one study?

24 A No.

25 Q Is that the first time you've heard that?

1 A I have no recollection of that whatsoever.

2 Q If you could go to the second page of this
3 email, Ms. Binder writes, "Dr. D stated it is not
4 common to see galactorrhea due to hyperprolactinemia
5 unless estrogen is present. Dr. D also stated that
6 brain-damaged children have early puberty, that
7 hyperprolactinemia may delay puberty, and rapid weight
8 gain to the point of obesity may induce puberty."

9 Did I read that correctly?

10 A Yes.

11 Q What did you mean -- I'm sorry. Strike
12 that. What is Ms. Binder talking about when she says
13 you stated that brain-damaged children have early
14 puberty? And specifically, what do you mean by
15 "brain-damaged children"?

16 A I'm not sure that that's my direct quote.
17 But children who have sustained a significant trauma
18 or insult to the brain can have a disruption in the
19 hypothalamic pituitary gonadal axis function. And
20 throughout prepuberty it's chronically suppressed.

21 And then something happens in puberty where it
22 starts to be less suppressed and goes into puberty.
23 And that chronic suppression pre-puberty can be taken
24 off earlier. So children who had significant, for
25 example, hypoxic ischemic damage in the newborn period

1 may be more likely to have early puberty than if they
2 didn't have that.

3 Q Going down into the email, it says, "In
4 general, he agreed with the analysis outline." Do you
5 remember ever being provided an analysis outline?

6 A I can't recall whether it was, but there
7 was a discussion of how the data were going to be
8 analyzed.

9 Q She goes on to write, "If we don't have a
10 great deal of Tanner data, he recommended that we use
11 age as a correlation, parenthesis, surrogate marker."

12 A Yes.

13 Q What does that mean?

14 A So ideally, you'd like to have -- you'd
15 like to know when people are pubertal, which is called
16 Tanner stage one. And then as they go into early
17 puberty, Tanner stage two, three. And then advanced
18 puberty, four, five, which is sexual maturation.

19 And there was someone who did measurement in the
20 U.K. and classified puberty along these lines. The
21 problem with using age as a surrogate marker is you
22 don't start -- everybody doesn't start puberty at age
23 10 and reach level three at age 12.

24 There's a spectrum. So boys start between say
25 10 and 14 years of age. And you'd expect a spectrum.

1 But if you don't have the Tanner staging, the next
2 best thing is to look at age as the surrogate marker.

3 Q Okay. You can put that aside. This might
4 be a good opportunity just to take a short break.

5 A Sure.

6 VIDEOGRAPHER: Going off the record at
7 10:12 a.m.

8 (Recess from 10:12 a.m. to 10:20 a.m.)

9 VIDEOGRAPHER: Going back on the record at
10 10:20 a.m.

11 BY MR. GOMEZ:

12 Q Dr. Daneman, before I mark our next
13 exhibit, I wanted to follow up on one thing you said
14 earlier. Correct me if I am wrong. Was it your
15 understanding that this article was being written to
16 seek some sort of pediatric indication?

17 MR. ESSIG: Objection to form and
18 foundation.

19 THE WITNESS: My understanding was that my
20 role was to comment on the prolactin levels and how
21 they related to potential side effects. I wasn't
22 informed as seeking of an indication.

23 BY MR. GOMEZ:

24 Q Were you aware that in 2001 time frame that
25 Risperdal was not indicated to treat children in the

1 United States?

2 A I'm going to presume I was but probably
3 more likely a Canadian indication than the U.S.
4 indication.

5 Q Was there -- has Risperdal ever been
6 indicated to treat children in Canada?

7 A I don't know the answer to that question,
8 but there are many, many children who receive
9 Risperdal on a regular basis.

10 Q Are you familiar with the term "off label
11 prescription"?

12 A Yes.

13 Q What is that?

14 A So once a product, medication is approved
15 for use for a specific indication, physicians can
16 prescribe it for other indications very judiciously
17 and carefully. And that's called off label.

18 Q When you say, "very judiciously and
19 carefully," does that mean that physicians will look
20 to sources of information for information to share
21 with their patients regarding efficacy and safety, for
22 example?

23 A Ideally, they should, yes.

24 Q One of the sources that physicians who
25 prescribe drugs like Risperdal to an off-label

1 population like children and adolescents would be the
2 medical literature; correct?

3 A Yes.

4 Q It would also be posters and abstracts that
5 are shown at conventions; correct?

6 A Posters and abstracts at conventions would
7 be sort of the lowest level of communication. It's
8 really a communication to that band of people that is
9 going to be at that meeting, which is often highly
10 specialized small groups of people.

11 Q For example, there might be conferences of
12 psychiatrists, for example?

13 A Yes.

14 Q You mentioned the lowest level of
15 communication. Is there a secondary level or a top
16 level?

17 A The top level is systematic reviews or meta
18 analysis of many different studies, systematic reviews
19 of the entire literature. Meta analysis means you
20 take a whole lot of studies, and you review them
21 together.

22 And then practice guidelines would come out from
23 august bodies, national, international, sometimes
24 local, but usually national, international, giving the
25 guidelines for the treatment of certain conditions.

1 So that there would be if -- let's go back to
2 diabetes. The American Diabetes Association has
3 clinical practice guidelines. The Canadian Diabetes
4 Association has clinical practice guidelines. Most of
5 these are based, to some extent, on evidence-based
6 medicine, which means the highest level of evidence.

7 The problem in the pediatric population is that
8 there's only a certain amount of evidence to support
9 what we do. And a lot of assumptions are made from
10 the adult literature. So whenever we can get
11 pediatric data, it's very important.

12 Q So it would be fair to say that a doctor
13 who is deciding to prescribe Risperdal might look to
14 the medical literature, specifically to the adult
15 literature if no pediatric literature is present, for
16 information on efficacy and safety; is that fair?

17 A Yes.

18 Q How would you characterize the article from
19 2003 that you were a coauthor on? Is that a meta
20 analysis or top level of medical literature?

21 A No, it's an analysis of the available data.
22 The ideal would be to have a single study in which
23 enough patients were randomized to receive the drug
24 versus not and treated for a long-enough period of
25 time so that the primary outcome and the secondary

1 Q And it should also be balanced?

2 A Well, there's a peer-review process that
3 goes on in order to provide the checks and balances to
4 those sorts of things. There are always individual
5 interpretations for everything.

6 There's some things are that black and white
7 which don't require any interpretation, but there are
8 other things that are much more nuanced or shaded that
9 are interpreted one way by the authors, and often the
10 journals provide editorials, commentaries, and other
11 things to provide counterbalance and so on.

12 Q According to your CV, you're a reviewer as
13 well? You review journal articles; correct?

14 A Yes. Yes.

15 Q When you review journal articles, do you
16 expect them to be balanced in terms of how they report
17 the data, specifically safety data?

18 A Yes.

19 Q I've marked as Exhibit 4 a printout of an
20 email from Mr. Essig to me regarding the documents
21 that I asked you to, through my deposition notice, to
22 go back and look for.

23 A Yes.

24 (Whereupon, Exhibit No. 4 was marked for
25 identification.)

1 outcomes were reached.

2 That would be the strongest. This is a quite a
3 lot down the line. And this is not a, you know, the
4 American Academy of Pediatric Neurology or Pediatric
5 Endocrinology that's giving an outcome. It's a group
6 of people analyzing these studies.

7 So it's part of the process.

8 Q And as part of the -- strike that. And one
9 of the reasons to draft articles like this and have
10 them published is so prescribing physicians can be
11 informed about any issues of efficacy and safety; is
12 that fair?

13 A That's fair, yes.

14 Q And you would agree with me that medical
15 literature like the article that we see that we're
16 going to talk about more in detail and what we've seen
17 so far should be done in an accurate manner; is that
18 fair?

19 MR. ESSIG: Objection to the form. But go
20 ahead.

21 THE WITNESS: Yes.

22 BY MR. GOMEZ:

23 Q And it should be in an objective manner as
24 well; is that a fair statement?

25 A Yes.

1 BY MR. GOMEZ:

2 Q And according to this -- and I have them
3 all on this exhibit -- you provided four documents; is
4 that fair?

5 A Yes.

6 Q The first two are February 19, 2003,
7 revision. And I'm specifically referring to the
8 attachments on the first page of the email. The first
9 two are dealing with the 2004 article?

10 A Yes.

11 Q By Dunbar; is that correct?

12 A Yes.

13 Q And then we have the PRL. That's
14 prolactin; correct?

15 A Yes.

16 Q That's revised July '03, final doc, and
17 that's the 2003 article?

18 A Yes.

19 Q With Findling as the lead author; correct?

20 A Yes.

21 Q And then a prolactin ACAP abstract dated
22 2/13/02. And that is the abstract that was presented
23 at ACAP on the 2003 Findling article; is that correct?

24 A Yes.

25 Q Now, before we go look at the article

1 itself and, specifically, the 2003 revised version
2 that's attached to this email, did you specifically --
3 do you specifically remember what -- whether or not
4 you wrote anything?

5 A I didn't write parts of the article. I
6 commented on them. I can't give you exact detail as
7 to which parts I commented on and suggested changes
8 to, but I would have been -- I would have been an
9 internal reviewer and commenting on the way in which
10 it was written.

11 Q So it's fair to say somebody else wrote it?

12 A Yes.

13 Q And sent it to you for your comments?

14 A Yes.

15 Q And then incorporated your comments, and
16 that was eventually the final product?

17 A Yes.

18 Q The published version?

19 A Yes.

20 Q Do you remember how many drafts you
21 received?

22 A No.

23 Q Do you think the one attached to this email
24 that you produced today was the only one you reviewed?

25 A I don't think it was the only one, but I

1 don't know the answer to that question. There would
2 be a suggestion, to answer that question, on the
3 articles which would tell you when they were reviewed,
4 when they were received, when they were accepted.

5 Q Are you talking about the final article?

6 A Yes.

7 Q We'll take a look at that in a second. Do
8 you remember who sent you these -- strike that. Do
9 you remember who sent you the July 3 prolactin
10 revision?

11 A My communication was with Carin Binder. So
12 I would presume it was her. I can't recall receiving
13 anything from anybody else.

14 Q We talked about the process, that somebody
15 else wrote it, you reviewed it, added comments. Yet
16 you still were listed as an author. That's something
17 that's just normal and customary in the medical
18 publishing world?

19 A So you would have -- you can see from my CV
20 that there are sometimes many, many authors on an
21 article. Somebody is the primary author, who writes
22 the article, and the other people add their comments
23 and criticisms and iterates until everybody is
24 comfortable with it.

25 Q You mentioned in the email to Mr. Essig as

1 part of this exhibit that you wanted to get in touch
2 with Carin Binder; do you see that down there?

3 A I did.

4 Q Did you get in touch with Carin Binder?

5 A No. No.

6 Q Okay. If you could go to the back and go
7 forward until we find the last attachments which the
8 2003, July '03 draft. And let me know when you're
9 there.

10 A I'm there.

11 MR. ESSIG: Chris, just so I'm clear, did I
12 miss something or what shows that it's the July, 2003,
13 draft?

14 MR. GOMEZ: Well, it was the attachment.
15 If you look in the attachment email -- go to the
16 front.

17 THE WITNESS: The attachment.

18 MR. GOMEZ: It says, "Attachment revised
19 July 3, dot final doc."

20 MR. ESSIG: I see. Thank you.

21 BY MR. GOMEZ:

22 Q Doctor, where I have you looking at now, is
23 that the July '03 attachment that we were talking
24 about earlier?

25 A This is what that attachment is, yes.

1 Q Okay.

2 MR. ESSIG: Just so that we're clear,
3 you're saying this document here is the document that
4 was attached as labeled above here on the email as
5 revised July '03?

6 MR. GOMEZ: That's correct.

7 MR. ESSIG: Okay. We're not saying that
8 it's necessarily from July '03. We're just saying
9 that's the name of the document?

10 MR. GOMEZ: That's the name of the
11 document. That's fair.

12 MR. ESSIG: Okay.

13 BY MR. GOMEZ:

14 Q And Doctor, the title is "Prolactin Levels
15 during Long-term Risperidone Treatment in Children and
16 Adolescents"?

17 A Correct.

18 Q And the byline lists numerous authors, of
19 which you're listed there, Denis Daneman, M.D., the
20 Hospital for Sick Children, University of Toronto.
21 Did I read that correctly?

22 A Correct; correct.

23 Q And along with Dr. Moshang, he's another
24 pediatric endocrinologist that we've talked about
25 earlier; correct?

1 A Since diseased, yes.
 2 Q And Dr. Findling is a psychiatrist;
 3 correct?
 4 A Yes.
 5 Q And he's with the Case Western Reserve
 6 University in Cleveland, Ohio?
 7 A Correct.
 8 Q And Vivek Kusumaker M.D., she's or he's
 9 employed by J and J Pharmaceutical R&D, Titusville,
 10 New Jersey; correct?
 11 A Yes.
 12 Q And Goedele DeSmedt is also employed by J
 13 and J Pharmaceutical R&D out of Belgium?
 14 A Yes.
 15 Q And if you turn the page, Carin Binder,
 16 she's employed by Janssen Ortho here in Toronto,
 17 Canada; correct?
 18 A Correct.
 19 Q On that same page, page 2, special thanks
 20 to Miklos Schulz. We talked about him. He's the
 21 biostatistician?
 22 A Yes.
 23 Q Who's Ann Leung? L-E-U-N-G.
 24 A Don't know.
 25 Q Do you know Al Derivan? I think I asked

1 you that earlier.
 2 A No.
 3 Q And you know Dr. Fiona Dunbar; correct?
 4 A Yes.
 5 Q If we could turn the page to page 3. It
 6 reads under background -- and this is the abstract.
 7 What's an abstract?
 8 A It's a one-page summary of everything that
 9 follows, a precis.
 10 Q Why is there an abstract? Why not just
 11 have the article by itself when it's published?
 12 A Two things. It allows it to be published
 13 on Medline, PubMed, or one of these places in this
 14 format without the whole article being there. And it
 15 also allows people to just skim the literature.
 16 Q Going on what you just said there, this is
 17 one of the first places doctors go when reviewing a
 18 journal article?
 19 MR. ESSIG: Objection to the form.
 20 BY MR. GOMEZ:
 21 Q Would you agree with that?
 22 A Depends. If it's -- if you're looking for
 23 the meat of the article, you read the whole article.
 24 If you're looking for a quick fix, you look at the
 25 abstract.

1 Q So if a prescribing physician who's about
 2 to prescribe Risperdal to a child, an adolescent, and
 3 is concerned about side effects associated with
 4 prolactin, is one of the first places he's going to go
 5 is the abstract?
 6 MR. ESSIG: Objection to the form. Calls
 7 for speculation.
 8 THE WITNESS: I suspect the medical
 9 literature is not necessarily the first place they
 10 would go. The Compendium of Pharmaceutical
 11 Substances, the CPS, in the U. S. And in Canada it
 12 would --
 13 BY MR. GOMEZ:
 14 Q I'm sorry. I didn't mean to interrupt you.
 15 A Where you can look at a whole lot of
 16 information about the drugs themselves without looking
 17 at the primary studies.
 18 Q You're referring to like the package insert
 19 or the prescription drug label?
 20 A Well, we get an updated book every year
 21 with all the pharmaceutical agents. And you can go
 22 through, and they list all the indications, the side
 23 effects, the dosing, situations that you need to --
 24 Q That's published, I assume, in Canada every
 25 year like it is in the United States?

1 A Yes.
 2 Q It's called the Physician's Desk Reference?
 3 PDR? Does that ring a bell?
 4 A Yes. It's called the CPS in Canada.
 5 Q That's one source where physicians can go
 6 to for information about safety and efficacy?
 7 A So that's my first source because it's
 8 sitting right there.
 9 Q Would the second source be medical
 10 literature?
 11 A Yes. Medical literature would be a more
 12 in-depth source of information.
 13 Q Okay. So if a doctor had specific
 14 questions about side effects related to prolactin
 15 elevation in Risperdal, that's what this article was
 16 designed to do, to answer those questions; is that
 17 fair?
 18 MR. ESSIG: Objection to the form.
 19 THE WITNESS: At least to ask those
 20 questions.
 21 BY MR. GOMEZ:
 22 Q Let's look at the background under the
 23 abstract. It reads, "This analysis was designed to
 24 investigate prolactin levels in children on long-term
 25 risperidone treatment and explore any relationship

1 with side effects hypothetically attributable to
 2 prolactin, SHAP."
 3 Did I read that correctly?
 4 A Yes.
 5 Q So the main purpose of this article was to
 6 look at any relationship with Risperdal and things
 7 like gynecomastia; is that a fair assessment?
 8 A Yes.
 9 Q When it's written "any relationship," what
 10 does that mean?
 11 A A cause-and-effect relationship.
 12 Q Okay. When you're talking about
 13 relationship, are we talking about associations? Or
 14 are we talking about correlations?
 15 A So you're talking about both. A study like
 16 this is not going to be definitive in its answer
 17 because it's only a certain dosing of Risperdal. It's
 18 only a certain duration. It's only a certain set of
 19 indications where it is.
 20 This is not the final answer.
 21 Q So is it true that certain tests or
 22 statistical tests were done to determine whether or
 23 not there was relationships, i.e. correlations or
 24 associations?
 25 A Yes.

1 Q And if there was found to be an
 2 association, would we expect to see that in the body
 3 of the article?
 4 A You'd expect to see it in the body of the
 5 article.
 6 Q You would?
 7 A If there was a relationship, yes.
 8 Q Okay. What tests, if you know, are done to
 9 determine associations?
 10 A Each set of data are going to be looked at
 11 in these cases by highly qualified biostatisticians
 12 who can give the best information in looking at these
 13 correlations with the correlation coefficients, the
 14 relationship between level and severity.
 15 So if you can define severity as one, two,
 16 three, four, five and level as five, ten, 15, 20 and
 17 there's a nice straight line that fits, that would be
 18 the best correlation.
 19 Q To test whether or not there was a
 20 correlation, you need to do a correlation coefficient
 21 test; is that correct?
 22 A That's one of the ways of looking at it,
 23 yes.
 24 Q And if you're going to determine if there's
 25 an association, you would do a test like a chi-square

1 analysis?
 2 A Potentially, yes.
 3 Q If you go down on this page to the
 4 conclusion, it reads, "With long-term risperidone
 5 treatment in children, serum prolactin levels tended
 6 to rise and peak within the first one to two months
 7 and then steadily declined to values within or very
 8 close to the normal range by three to five months."
 9 Did I read that correctly?
 10 A Correct.
 11 Q Then it reads, "There was no relationship
 12 between the occurrence of SHAP extrapyramidal symptoms
 13 or improvement on the conduct problem subscale of the
 14 N-CBRF and prolactin elevation."
 15 A Yes.
 16 Q To break that down, that last sentence,
 17 would you agree with me that the reader can -- strike
 18 that. What is meant there is that the conclusion of
 19 this article was there was no relationship between the
 20 occurrence of SHAP and prolactin elevation; is that
 21 correct?
 22 A Correct.
 23 Q Let's go to page 7. The second paragraph,
 24 it reads, "There are no data in children as to the
 25 degree of prolactin elevation that warrants concern in

1 relationship to potential inhibition of growth, sexual
 2 development, or potential side effects such as
 3 gynecomastia or galactorrhea."
 4 Did I read that correctly?
 5 A Yes.
 6 Q Okay. And that was your understanding as
 7 well back in 2001. There was nothing in the
 8 literature on this?
 9 MR. ESSIG: Objection. Form and
 10 foundation. You just said 2001. I think we were
 11 talking about 2003 --
 12 BY MR. GOMEZ:
 13 Q Well, I'll rephrase the question. Back in
 14 2001 when you were first contacted by Carin Binder,
 15 you were asked to look at --
 16 A My clinical experience, my reading of the
 17 literature didn't give me the answers to that
 18 question.
 19 Q Okay. And that's what that sentence is
 20 telling us as well; correct?
 21 A Yes, yes.
 22 Q It goes on to read, the article, "It is the
 23 experience of the authors," and in parenthesis,
 24 "pediatric endocrinologists TM and DD" -- that's Tom
 25 Moshang and Denis Daneman; correct?

1 A Correct.
 2 Q "That prolactin levels above 18 but less,
 3 below 30 NG/ML, are rarely if ever associated with
 4 clinical manifestations or alterations of the
 5 hypothalamic pituitary gonadal axis"; did I read that
 6 correctly?
 7 A Correct.
 8 Q What does that mean?
 9 A There's an area in the sentence, either
 10 less than 30 or below 30 is one of the other.
 11 Q Is a prolactin level between 18 and 30
 12 considered abnormal?
 13 A So the normal range in males under 18 and
 14 normal females under 30 considered in the normal
 15 range. But it's been changing over time. And in our
 16 experience -- and it's still my experience to this
 17 day -- that levels under 30, in fact, probably under
 18 15 are rarely if ever associated with any significant
 19 side effects.
 20 Q And following up on that statement,
 21 "Prolonged elevations between 18 and 30 can lead to
 22 side effects." Is it more likely that they can lead
 23 to side effects based on a longer duration?
 24 A I've never seen that.
 25 Q Okay. The next paragraph reads, "The

1 objective of this post-hoc analysis was to investigate
 2 serum prolactin levels in children and adolescents
 3 with long-term risperidone treatment and to explore
 4 any possible correlation with side effects
 5 hypothetically attributable to elevated prolactin
 6 levels, SHAP."
 7 Did I read that correctly?
 8 A Correct.
 9 Q What's a post-hoc analysis?
 10 A This wasn't the primary analysis done at
 11 the time the original study was done. These studies
 12 were put together, and the data was done after the
 13 study was over.
 14 Q So just so the jury understands, these five
 15 studies had already been completed?
 16 A Yes.
 17 Q And somebody made a decision to combine
 18 these five studies and do an analysis of the data and
 19 publish it?
 20 A Yes.
 21 Q Okay. What does it mean when it's written,
 22 "and to explore any possible correlation"? Are they
 23 talking about a correlation test? Or are they using
 24 the word interchangeably with "association"?
 25 A So ten years after this was first written,

1 I can't give you the answer to that. It would be
 2 speculation.
 3 Q Five minutes left on the tape. We might as
 4 well change the tape real quick. Let's do that.
 5 MR. ESSIG: Sure.
 6 VIDEOGRAPHER: This marks the end of
 7 videotape No. 1 in the deposition of Dr. Denis
 8 Daneman. Going off the record at 10:45 a.m.
 9 (Discussion off the record.)
 10 VIDEOGRAPHER: Here begins videotape No. 2
 11 in the deposition of Dr. Denis Daneman. Going back on
 12 the record at 10:48 a.m.
 13 BY MR. GOMEZ:
 14 Q Dr. Daneman, we're looking at -- continuing
 15 to look at the July -- what's titled July '03. We'll
 16 call it a draft, that was attached to the email you
 17 produced in your deposition today. You and
 18 Dr. Moshang were asked to look at the adverse events
 19 possibly associated with prolactin elevation in
 20 risperidone; correct?
 21 A Yes.
 22 Q And you came up with -- was it your
 23 decision to or whose decision was it to come up with a
 24 SHAP A and a SHAP B?
 25 A So we discussed for, I guess, at least a

1 significant period what it meant to have a patient who
 2 had gynecomastia at a time when pubertal gynecomastia
 3 was likely to be at its height. And we felt that
 4 there needed to be an analysis that included those
 5 children and excluded those children to see what the
 6 outcome was.
 7 Q Can you define or do you remember before we
 8 look at this what the cutoff was in terms of age or
 9 SHAP A and SHAP B gynecomastia?
 10 A I think we said 10 in boys.
 11 Q Was there ever any discussion to include
 12 ages less than 10; do you remember?
 13 A To include them?
 14 Q As SHAP B.
 15 A So those who are over 10 and had
 16 gynecomastia were excluded for SHAP B.
 17 Q Right. Do you remember any discussion to
 18 make that age cutoff lower than age 10?
 19 A I can't recall the exact nature of the
 20 discussion.
 21 Q And why age 10 when there's discussions
 22 earlier that we saw regarding ages 12 to 14?
 23 A Well, age 10, if you take Tanner stage two,
 24 nine to ten would be a gray zone. 10 to 13 and a
 25 half, to 14, actually 13 years and eight months, would

Page 66

1 be Tanner stage two pubertal development in boys.
2 That would be the range. So you wouldn't want to go
3 much below 10.
4 Q I'm reading from page 13. It says, "An
5 alternate definition of SHAP was used for the SHAP B
6 population."
7 A Which one?
8 Q I'm looking at the bottom of page 13,
9 second-to-last sentence. It says, "An alternate
10 defense of SHAP was used for the SHAP B population."
11 A Yes.
12 Q So it's fair to say SHAP A was all
13 inclusive of all ages in adverse events; correct?
14 A Yes, correct.
15 Q "SHAP B also excluded patients with
16 amenorrhea less than one week in males 10 years of age
17 or older with gynecomastia and females with less than
18 31 days of breast development." Did I read that
19 right?
20 A Correct.
21 Q Then it's written, "It is considered normal
22 for males to have gynecomastia at some point in the
23 evolution of puberty, with the frequency estimated as
24 high as 50 percent."
25 A Correct.

Page 67

1 Q It goes on to read, "Adolescent
2 gynecomastia" -- and when it says "adolescent
3 gynecomastia," that means pubertal gynecomastia?
4 A Same thing.
5 Q May be unilateral, one-sided, or bilateral?
6 A Correct.
7 Q And occurs most frequently during stages
8 three and four of puberty?
9 A Yes.
10 Q And lasts a few months to two years?
11 A Yes.
12 Q 27 percent in one series lasted a year, and
13 7 percent lasted two years?
14 A Yes.
15 Q Stages three and four of puberty, that's
16 the equivalent of ages 12 to 14?
17 A Yes.
18 Q Why then are you excluding between the ages
19 of 10 and 12?
20 A That's what our discussions led us to. I
21 can't tell you 11 years later the reason for that.
22 Q So any children under the age of 10 who got
23 gynecomastia, we can just all together exclude puberty
24 as a cause?
25 A So if you have children who have gone into

Page 68

1 puberty who develop gynecomastia, males, the principle
2 is that you have to investigate it very thoroughly to
3 find a cause. It's going to very likely be a reason
4 for it because males under the age of 10 don't produce
5 enough estrogen to be converted to -- don't produce
6 enough testosterone to be converted to estrogen in the
7 peripheral tissues to allow the gynecomastia to
8 develop.
9 Q Going through the rest of this article or
10 this draft, if you could go to page 17, do you see
11 where it says, "Insert table one"?
12 A Yes.
13 Q Do you remember seeing, prior to
14 publication in 2003, any of the tables that were
15 originally included in the final published article?
16 A I must have seen the tables.
17 Q If you had seen them, you would have
18 reviewed them?
19 A I make that presumption.
20 Q Okay. Fair enough. If you go over to
21 page 18, it reads, the top sentence, "The PA
22 population." What is a PA population?
23 A I'd have to go back and look. PF often
24 stands for primary analysis. But there must be
25 somewhere where that is described.

Page 69

1 Q Just for purposes of my question, I'll
2 represent PA is primary analysis, so we can save some
3 time.
4 A Okay.
5 Q And we'll see that later on in the final
6 published article. But the sentence reads, "The PA
7 population included 489 boys or 82.6 of the subjects
8 in the PA population and 103 girls, 17.4 percent."
9 Did I read that right?
10 A Correct.
11 Q What's the purpose of writing in the
12 article the distinction between boys and girls and the
13 number of each?
14 A There may be differences in the likelihood
15 of complications, side effects in each.
16 Q You would want, for example, gynecomastia
17 as something only seen in boys; correct?
18 A It's the equivalent of breast development
19 in girls, yes.
20 Q However, if we're looking at gynecomastia,
21 we only want to look at the boys. Is that one reason
22 why the numbers are being put here; would you agree?
23 A That attribute is the only reason this was
24 done. Gender differences are always put in papers.
25 Q And the purpose of an article like this is

Page 70

1 to educate physicians or give them at least a source
2 to go look for information about safety. And if you
3 were having a prescriber who was concerned about side
4 effects in girls, this is an article he could go to to
5 get information about it; correct?
6 MR. ESSIG: Objection to form and
7 foundation.
8 THE WITNESS: Correct.
9 BY MR. GOMEZ:
10 Q Did you say correct?
11 A I said correct.
12 Q Okay. If you could go to page 21. I'm
13 sorry, Doctor. Please back up to page 20, if you
14 could do that for me. There's a section called "Side
15 effects hypothetically attributable to prolactin
16 SHAP." Do you see that?
17 A Yes.
18 Q As we discussed earlier, it reads in the
19 third sentence, "As such, two analysis were performed.
20 The first analysis, SHAP A, used a more inclusive
21 definition of SHAP. And the second analysis, SHAP B,
22 excluded additional symptoms that the pediatric
23 endocrinologist authors TM and DD attributed to
24 puberty."
25 A Correct.

Page 71

1 Q Okay. The second sentence reads, "Many
2 children in this analysis were at the age of puberty.
3 So the cause of SHAP could be uncertain." Did I read
4 that right?
5 A You did.
6 Q So what the article is saying is we're
7 going to do two analysis, one all inclusive, and one
8 is excluding subjects based on age and so forth; is
9 that right?
10 A Correct.
11 Q And you would expect the results of both
12 analysis to be within the paper or the final published
13 version; is that fair?
14 A Correct.
15 Q If you could go over to page 21, again the
16 second paragraph under where it says, "Insert table
17 2." Do you see that?
18 A Yes.
19 Q It says, "The percentage of children with
20 SHAP was assessed for SHAP B patients with prolactin
21 levels above the upper limits of normal." That's
22 "ULN"; correct?
23 A I'm on 21. Where am I looking? Okay.
24 There we are.
25 Q Are you there?

Page 72

1 A Yes.
2 Q I'll read it again. "The percentage of
3 children with SHAP was assessed for SHAP B patients
4 with prolactin levels above the ULN." That's upper
5 limits of normal?
6 A Yes.
7 Q "Versus children with prolactin levels
8 within the normal range at the various analysis time
9 periods." Did I read that right?
10 A Yes.
11 Q Do you remember whether or not -- what was
12 going on here is that they were at different time
13 periods, weeks one to four, four to eight, et cetera,
14 the comparison was being done of kids with elevated
15 prolactin above normal versus children with normal
16 prolactin levels.
17 And they were looking at whether or not there
18 was a difference between those who suffered side
19 effects; is that a fair assessment of what's being
20 written there?
21 A It's a fair assessment, but I can't recall
22 the discussion in any detail at all.
23 Q And what they're reporting here in the last
24 sentence is that there was no statistical difference
25 in the percentage of patients who reported SHAP for

Page 73

1 any analysis time period whether or not prolactin
2 levels were normal or above the upper limits of
3 normal. Did I read that correctly?
4 A Correct.
5 Q Do you know what they mean when it's
6 written here the authors, including yourself, in that
7 last sentence we just read, when they're talking about
8 SHAP, are they talking about SHAP A or SHAP B?
9 MR. ESSIG: Objection to the form.
10 THE WITNESS: I believe this is SHAP A.
11 BY MR. GOMEZ:
12 Q That's the all inclusive?
13 A I believe this is the all inclusive. I
14 have to check the final table, yes.
15 Q Okay. But we can agree they did two
16 separate analysis?
17 A Yes.
18 Q One on SHAP A and one on SHAP B?
19 A Yes.
20 Q Okay. And if you could go to page 24 under
21 the discussion section. Are you there?
22 A I'm there.
23 Q If you look at the second paragraph
24 beginning with "Only." Do you see that? "Only 13 of
25 592." Are you there?

Page 74

1 A Yes.
2 Q It reads, "Only 13 of 592, 2.2 percent of
3 children, develop symptoms hypothetically attributable
4 to prolactin for SHAP, with nine out of the 13
5 children showing resolution of these symptoms at study
6 end." Did I read that correctly?
7 A Yes.
8 Q Okay. The next sentence reads, "No
9 correlation was found between SHAP and prolactin
10 levels even when male gynecomastia during puberty was
11 included." Did I read that right?
12 A Yes.
13 Q Is what the authors are trying to say here
14 is that no correlation was found even in a SHAP A
15 analysis; correct?
16 A Correct.
17 Q As well as the SHAP B analysis which
18 excludes children over the age of 10; correct?
19 A Correct.
20 Q And what sort of tests would they have done
21 to determine whether or not there was a correlation?
22 A There were chi-squares, and there were
23 correlation coefficients, as far as I can recall from
24 reading the method section.
25 Q Based on your experience and your

Page 75

1 knowledge, a chi-square test is for an association,
2 not a correlation; correct?
3 A It's better for an association, yes.
4 Q Doctor, you can put that aside. Let me
5 mark as Exhibit 5 the published version of the article
6 we were just looking at. And I have a few questions
7 about it.
8 (Whereupon, Exhibit No. 5 was marked for
9 identification.)
10 BY MR. GOMEZ:
11 Q Dr. Daneman, I've put in front of you
12 Exhibit 5, which is entitled "Prolactin Levels during
13 Long-Term Risperidone Treatment in Children and
14 Adolescents." That's the title; correct?
15 A Correct.
16 Q And this is the same authors that we saw on
17 the draft; correct?
18 A Correct.
19 Q Is it fair to say that this is the final
20 version of the --
21 A This is the published version.
22 Q -- of the draft we just looked at; correct?
23 A Correct.
24 Q Okay. And it was published in the Journal
25 of Clinical Psychiatry in November, 2003?

Page 76

1 A Correct.
2 Q Let's look over on the left-hand side
3 between the two black lines. That's the abstract;
4 correct?
5 A Correct.
6 Q And we looked at the background again. And
7 again, the analysis was being designed to investigate
8 prolactin levels in children and adolescents on
9 long-term risperidone treatment and explore any
10 relationships with side effects hypothetically
11 attributed to the prolactin SHAP.
12 No change from the draft; right?
13 A Correct.
14 Q Okay. And under the "Results" section of
15 the abstract, it reads, "At least one SHAP was
16 reported by 13 of 592 children. There was no direct
17 correlation between prolactin elevation and SHAP." Is
18 that right?
19 A Correct.
20 Q Okay. When it reads, "At least one SHAP
21 was reported by 13 out of 592 children," when they say
22 SHAP, they mean SHAP A or SHAP B?
23 A This gives you the more conservative
24 outcome, which would be -- let me just check -- SHAP
25 B. This gives you at least -- this gives you the

Page 77

1 minimum.
2 Q And then it says there was no direct
3 correlation between prolactin elevation and SHAP?
4 A Yes.
5 Q Okay. Is there anything in the abstract
6 that defines the difference between SHAP A and SHAP B?
7 A No.
8 Q So the reader of this article, if they were
9 to go to the abstract, has no idea and would assume
10 that SHAP was all inclusive?
11 MR. ESSIG: Objection to the form. Calls
12 for speculation.
13 BY MR. GOMEZ:
14 Q Is that fair?
15 A I don't think the reader who goes to this
16 would understand what the term SHAP meant. So by the
17 time they finished reading the abstract, they would
18 focus on the conclusions of the abstract.
19 Q Under the background, doesn't it define
20 SHAP? So they could learn right from the background
21 in the first paragraph what SHAP is.
22 A But that term would be relatively
23 meaningless. What would be most meaningful in reading
24 an abstract would be at the bottom in the
25 "Conclusions."

1 Q Yes. What does it mean when the authors
2 wrote, "There was no direct correlation between
3 prolactin elevation and SHAP"?
4 A That you couldn't define at a certain level
5 of prolactin that there would be a side effect of
6 prolactin.
7 Q Specifically as to things like
8 gynecomastia, that's the analysis we talked about
9 earlier where they were looking at --
10 A Yes.
11 Q Those were the upper limits of normal
12 versus normal and then looking at side effects to see
13 if there was a difference?
14 A Yes.
15 Q If they had found a correlation, you would
16 expect that to be here in the abstract as well as the
17 article; correct?
18 A Correct.
19 Q Let's turn the page and go to the section
20 under "Method." Are you there?
21 A I am there.
22 Q It says under here under "Pooled Study
23 Databases" that five study databases of
24 risperidone-treated children and adolescents were
25 merged. "There were two six-week DB placebo control

1 trials." DB is double blind?
2 A Correct.
3 Q "With two 48-week OL extensions of those
4 trials." Correct?
5 A Correct.
6 Q What's "OL"?
7 A Open label.
8 Q Okay. And then a stand-alone one year OL
9 or open label trial to collect safety data; correct?
10 A Correct.
11 Q Were you aware that the one-year open label
12 trial to collect safety data had over 500 subjects in
13 it?
14 A Where is that? I went through the
15 different study numbers at the time. I couldn't have
16 quoted you today what the numbers were in each trial.
17 Q Okay. All I'm asking is, as you sit here
18 today, are you aware that there was over 500 subjects
19 alone in the stand-alone, one-year open label trial to
20 collect safety data?
21 A Yes.
22 Q Were you aware that there were 24 cases of
23 gynecomastia seen in that study?
24 A No.
25 Q Did you ever review the protocols of these

1 studies?
2 A I can't give an answer to that question.
3 There was discussion of these protocols, and I can't
4 remember whether I did or I didn't. It's too long ago
5 to remember the details.
6 Q Let's break down the studies. There's two
7 six-week studies; right?
8 A Yes.
9 Q Double-blind placebo control studies?
10 A Yes.
11 Q We can agree that those trials are not long
12 enough to look for safety data?
13 A Absolutely.
14 Q Those are short-term trials; correct?
15 A But they can give you prolactin data.
16 Q Agreed.
17 A Yes.
18 Q But if we're looking at adverse events --
19 A Correct.
20 Q Okay.
21 MR. ESSIG: Object to the form, but go
22 ahead.
23 BY MR. GOMEZ:
24 Q Let me ask the question again. The two
25 six-week trials are not ideal to look at to determine

1 safety data or to gather safety data; correct?
2 A Correct.
3 Q And then we have two 48-week open label
4 extensions of those trials. Is that a sufficient time
5 frame to look at safety data?
6 A It's better than six weeks, and it's the
7 best data we have, which is why it was reported. Is
8 it the ideal way to do it? The ideal way is to have a
9 double-blind placebo controlled, randomized trial that
10 went for long enough to be able to be sure of that.
11 But that wasn't the case. So this is the best
12 available, but it's not the best possible.
13 Q Okay. We can agree that the 48-week
14 extensions are long term?
15 A Yes.
16 Q Are you aware of whether or not they paid
17 special attention to side effects like gynecomastia in
18 those open label extensions?
19 A My understanding is that there was
20 attention paid to any potential side effects.
21 Q In comparison with the stand-alone,
22 one-year open label extension, were you aware that in
23 the protocols of that study that the particular
24 special attention was paid to side effects like
25 gynecomastia?

Page 82

1 A I cannot recall the details.

2 Q Were you aware when you reviewed these five

3 studies when physical exams were done to look for

4 gynecomastia?

5 A We were -- I was aware of when the patients

6 were seen.

7 Q And really the only way you can look for

8 gynecomastia is to do a physical exam; correct?

9 A Correct.

10 Q Staying with the final article, can we turn

11 the page. And under "Outcome Measures" on the

12 right-hand side, if you go down to the third

13 paragraph, you see there beginning with adverse

14 events?

15 A Yes.

16 Q The first part of this paragraph talks

17 about how the adverse events were looked at or how

18 they were assessed; is that fair?

19 A Yes; correct.

20 Q Okay. The sentence beginning with

21 "patients," it says, "Patients with SHAP were

22 classified according to two sets of criteria, SHAP A

23 and SHAP B."

24 A Correct.

25 Q So this is the article telling the reader

Page 83

1 about the distinctions between SHAP A and SHAP B?

2 A Yes.

3 Q Okay. And then it's written down within

4 the paragraph, "It is considered normal for males to

5 have gynecomastia at some point in the evolution of

6 puberty, with the frequency estimated as high as

7 50 percent"?

8 A Yes.

9 Q And that's consistent with the language we

10 saw in the earlier draft; correct?

11 A Yes.

12 Q If you can go to page 1366 of the article,

13 the page number is on the bottom right, Dr. Daneman.

14 A Yes.

15 Q This is where we see table 1 and figure 1.

16 Do you see that?

17 A Correct.

18 Q Okay. And table 1 is describing the

19 studies; do you see that?

20 A Yes.

21 Q And then what we talked about earlier, we

22 see PA as primary analysis, and there's 592 --

23 correct -- in table 1; do you see that?

24 A Yes.

25 Q And then "ITT" is intent to treat?

Page 84

1 A Correct.

2 Q Is that correct?

3 A Correct.

4 Q Okay. And then in figure 1, we have a

5 chart or a graph entitled "Prolactin Levels in

6 Children Receiving Long-Term Risperidone Treatment."

7 Do you see that?

8 A Correct.

9 Q And then it has -- again, it's broken down

10 by weeks. You see weeks four to seven there? That's

11 where it's at the highest; is that right?

12 A Correct.

13 Q Then at weeks eight to 12, it's declined

14 some way; right?

15 A Correct.

16 Q And then we see it declining over time all

17 the way up through the end of weeks 40 to 48; is that

18 what the graph is telling the reader?

19 A Correct.

20 Q If you go down to the section beginning

21 "Side effects hypothetically attributed to prolactin";

22 do you see that?

23 A Yes.

24 Q It reads, "Many of the side effects

25 hypothetically attributable to elevation in prolactin

Page 85

1 levels are also commonly seen during puberty." Did I

2 read that right?

3 A Correct.

4 Q You agree with that?

5 A Yes.

6 Q Okay. And then it reads, "Many of the

7 children and adolescents in this analysis were at the

8 age of puberty. So the cause of SHAP could be

9 uncertain."

10 A Correct.

11 Q "As such, because of this, as such, two

12 analysis were performed." Did I read that right?

13 A Correct.

14 Q Okay. And then it talks about the first

15 analysis, SHAP A, used a more inclusive definition.

16 And the second analysis, SHAP B, excluded additional

17 symptoms based on, in the case of gynecomastia, age.

18 Is that right?

19 A Yes.

20 Q Okay. And then if you go over to the next

21 column -- okay -- you see the percentage of patients

22 sentence there, three down from the top? Do you see

23 that?

24 A First paragraph?

25 Q On the right-hand side.

Page 86

1 A Yes.
2 Q Underneath table 4?
3 A Yes.
4 Q It reads, "The percentage of patients with
5 SHAP was assessed for SHAP B patients with prolactin
6 levels above the upper limits of normal versus
7 patients with prolactin levels within the normal range
8 at the various analysis time periods."
9 Did I read that correctly?
10 A Yes.
11 Q So that's talking about the SHAP B
12 analysis; correct?
13 A Correct.
14 Q And when they compared kids with elevated
15 prolactin with kids who didn't have elevated prolactin
16 and they were looking at side effects to determine
17 whether or not there was a relationship; is that fair?
18 A Correct.
19 Q Then it says, "There was no statistical
20 difference" -- okay -- "in the percentage of patients
21 who reported SHAP for any analysis time period." And
22 then it reads, "whether or not prolactin levels were
23 normal or above the upper limits of normal."
24 Did I read that correctly?
25 A Yes.

Page 87

1 Q What's a statistical difference? What's
2 that?
3 A Probability.
4 Q Is that where they do the chi-square test?
5 A Whatever statistical analysis is done will
6 tell you if it's a P value less than whatever you've
7 set for your study, that the probability of these two
8 being different by chance alone is exceeded or not
9 exceeded.
10 Q And if the P value is statistically
11 significant, what does the reader learn from that?
12 A That the chances of having X, Y, or Z is
13 greater than by chance alone.
14 Q In other words, if the P value is
15 statistically significant, a reader could look at that
16 and say kids with elevated prolactin have a higher
17 propensity to develop SHAP throughout the trial; is
18 that fair?
19 A You have to be a little careful in terms of
20 statistical significance and clinically meaningful
21 because sometimes the difference is tiny, but it
22 reaches statistical significance. So you know,
23 statistics are statistics.
24 They just give you the probability. They don't
25 give you the impact of what's going on. And you have

Page 88

1 to look at it in the raw data as well.
2 Q Okay. Again, the purpose -- I mean, we can
3 agree the main purpose of this article is to talk
4 about and look at any relationship?
5 A Yes, yes.
6 Q Okay. And if the relationship was found
7 doing this comparison, you would expect to see it in
8 the article; is that fair?
9 A Correct.
10 Q And we can agree what we just read was only
11 the SHAP B analysis, which excludes kids over the age
12 of 10; right?
13 A Yes.
14 Q Okay. Now, if we turn the page to 1368
15 under the discussion section -- are you there?
16 A I am there.
17 Q Let's go over to the right-hand column and
18 then the second full paragraph or the first full
19 paragraph beginning with, "Only 13 of 592." Are you
20 there?
21 A Yes, I am there.
22 Q Okay. So the reader is going to this
23 section in the discussion and would read, "Only 13 of
24 592, 2.2 percent of children and adolescents, develop
25 symptoms hypothetically attributable to prolactin or

Page 89

1 SHAP." Did I read that right?
2 A Correct.
3 Q "With nine of the 13 showing resolution of
4 these symptoms at study end"?
5 A Yes.
6 Q No difference from what we saw in that
7 draft we looked at earlier; correct?
8 A Yes.
9 Q It goes on, "No correlation was found
10 between SHAP and prolactin levels even when male
11 gynecomastia during puberty was included."
12 A Yes.
13 Q So that's an all inclusive. They're
14 talking about the SHAP A analysis or everything;
15 correct?
16 A Yes.
17 Q And they're telling the reader that they
18 looked at that and they saw no correlation?
19 A Correct.
20 Q "This finding is in keeping with other
21 studies in adults that also showed no correlation
22 between prolactin levels and SHAP." So this analysis
23 in this paper is reinforcing the adult analysis and
24 reassuring the physician; correct?
25 A As one puts together ones discussion, one

1 looks at what there is in the literature and balances
 2 ones finding against that and then deals with the
 3 shortcomings of the particular study involved.
 4 Q Now, in this final paper, if there had been
 5 an internal analysis done of SHAP A that showed a
 6 correlation, we would expect to see that in the paper;
 7 correct?
 8 A You'd expect to see that in the paper, yes.
 9 Q And we would also expect to see that, if
 10 statements are being made that there's no direct
 11 correlation between elevated prolactin levels and
 12 SHAP, that the type of test to determine whether or
 13 not there's a correlation would be in the paper;
 14 correct?
 15 A Correct.
 16 Q And if it's not possible to do a
 17 correlation test -- okay -- the statement of no
 18 correlation is kind of misleading; would you agree?
 19 MR. ESSIG: Objection to the form.
 20 THE WITNESS: It's not misleading if you
 21 read the last two paragraphs of the discussion, which
 22 clearly indicate the shortcomings of any analysis of
 23 this sort. The clinical implications of the study are
 24 many.
 25 What clinicians can expect, it gives an accurate

1 assessment, and what the deficiencies are here. And
 2 so if you're a physician about to prescribe something,
 3 a medication in a situation, you're going to look at
 4 the conclusions more than you will look at correlation
 5 coefficient.
 6 And it very clearly says, "Only a small
 7 percentage of children and adolescents treated with
 8 risperidone in this fashion will develop SHAP that
 9 requires intervention." And no matter what statistic
 10 you apply or not, that's something that holds very
 11 accurately.
 12 BY MR. GOMEZ:
 13 Q Okay. And that's within the article under
 14 that paragraph. But we can agree that, if you're
 15 going to do an analysis -- okay -- comparing children
 16 who had elevated prolactin and those who didn't and
 17 look at the relationship between prolactin and side
 18 effects, you want to be balanced and put in
 19 information that shows a correlation or shows an
 20 association?
 21 A You want to put in the best available
 22 information.
 23 Q Okay.
 24 MR. ESSIG: Objection to form and
 25 foundation.

1 BY MR. GOMEZ:
 2 Q I don't think you answered my question,
 3 though. You want to put in both sides for balance; is
 4 that fair? If you had information that showed an
 5 association or a correlation, you would want that in
 6 the paper?
 7 MR. ESSIG: Objection.
 8 THE WITNESS: Yes.
 9 BY MR. GOMEZ:
 10 Q If we can go back to page 1367, I want to
 11 specifically look at the tables. Why do you have --
 12 what's the purpose of these, table 2 and table 3?
 13 A To provide the actual numbers, to be able
 14 to look at them.
 15 Q And when you say provide actual numbers,
 16 you're talking about the rates of side effects?
 17 A Yes.
 18 Q And prescribing physicians or anybody who
 19 reads the article, this is one place where they can go
 20 quickly to find that information; correct?
 21 A The prescribing physician is very unlikely
 22 to go to the tables. He's much more likely to go to
 23 the conclusions.
 24 Q Okay. But it's put in here for a reason.
 25 Hold on. Let me just finish my question. The reason

1 it's put in the article is to show the rates of side
 2 effects; correct?
 3 A Yes.
 4 Q Let's look at table 2. Under the PA or the
 5 primary analysis, we have a number of 592; do you see
 6 that?
 7 A Correct.
 8 Q Okay. And we saw earlier that 489 are
 9 males, and 103 are females. Do you remember that?
 10 A I do.
 11 Q That adds up to 592; correct?
 12 A Yes.
 13 Q Okay. So if you go down here where it
 14 says, "Reports of SHAP by preferred term," it says
 15 gynecomastia and says males; right?
 16 A Correct.
 17 Q Okay. And then you go over to the PA
 18 analysis, and it has 22. And I'll represent to you
 19 that 22 into 592 is 3.7 percent. That's what that's
 20 saying; correct?
 21 A Correct.
 22 Q Okay. If we're looking for gynecomastia in
 23 males, wouldn't we want the number under the PA to be
 24 489 instead of 592?
 25 A In looking at this in 2012, yes.

1 Q Okay. Do you think it's incorrect to
2 measure or to put forth the percentage of gynecomastia
3 in males and count females in the denominator?
4 A So when you don't count females in the
5 denominator, the number changes from 3.7 to 4.5, I
6 believe.
7 Q Something like that, yes.
8 A Something like that; yes?
9 Q Yes.
10 A And that's a very small differential.
11 Ideally, you'd like to separate the two. It's a small
12 differential.
13 Q It's not correct, though; agree?
14 A It's 22 of the 592 of both sexes. This is
15 peer reviewed on more than one occasion and left to
16 stand by erudite reviewers. So I'm not going to --
17 I'm not going to --
18 Q So the authors missed it and --
19 MR. ESSIG: Can you let him answer his
20 question. He was almost done.
21 THE WITNESS: I'm not going to reject it on
22 the basis of that.
23 BY MR. GOMEZ:
24 Q Okay. But we can agree that the reviewers
25 missed it and the authors missed it? It would be

1 ideal to have 22 into 489 to get the true and accurate
2 percentage rate for gynecomastia; you agree with that?
3 A Yes.
4 Q Okay. Now, you were talking about the
5 difference between 4.5 and 3.7 is not significant, in
6 your opinion; correct?
7 A You have to be careful about the word
8 "significant." It's -- the probability of
9 additional -- of a serious number of other patients
10 developing it and changing the recommendations that
11 come in the end of the paper is going to be very small
12 indeed.
13 And I'll read those to you, if I may. "There's
14 little justification for the discontinuation or
15 reduction in dose of risperidone (INAUDIBLE) since the
16 dose of risperidone did not (INAUDIBLE.) If a highly
17 distressing hypothetically as attributable to
18 prolactin" (INAUDIBLE) gives examples.
19 "Clinicians must balance the risk benefit ratio
20 prescribing risperidone, especially in the face and
21 the effect and outcome of untreated disruptive
22 behavior disorder." That's a very balanced
23 conclusion.
24 Q Okay. When we're talking about highly
25 distressing side effects, is gynecomastia included

1 there, in your opinion?
2 A Galactorrhea and substantial breast
3 enlargement, especially in males.
4 Q Let's look at the same table. Reproductive
5 disorders in females. Do you see that?
6 A Going back? Yes.
7 Q And it says, "Eight reproductive disorders,
8 adverse events, in females"; do you see that?
9 A Yes, I do.
10 Q They're delineated underneath that;
11 correct?
12 A Correct.
13 Q It says 1.4. That's 1.4 percent; right?
14 A Correct.
15 Q And that's eight into 592?
16 A Or eight into 120, whatever the number.
17 Q Shouldn't it be eight into 103? That would
18 be correct?
19 A I can't go back and tell you what the
20 discussion was around it. I have no recollection.
21 Q Listen carefully to my question. I'm not
22 asking about the discussion around it. I'm asking if
23 you agree with me that it should be eight into 103.
24 And that percentage, that would be correct?
25 A In 2012, if you put it that way, that's how

1 I would do the analysis.
2 Q Do you know whether or not anybody has ever
3 taken any steps to correct these calculations?
4 A To provide a different analysis?
5 Q No. Has anybody -- do you know of anybody,
6 authors, company representatives from Janssen, calling
7 the Journal of Clinic Psychiatry and saying, "In our
8 2003 Findling article, we have some mathematical
9 mistakes on the adverse event rates in females and
10 males. We want to correct it"?
11 MR. ESSIG: Object to the form.
12 THE WITNESS: The term would be, "We have a
13 different analysis" rather than an error.
14 BY MR. GOMEZ:
15 Q I'm specifically --
16 A I have no knowledge whatsoever.
17 Q As one of the authors, do you think maybe
18 you might do that? Could you do that if you wanted,
19 say to the journal that this needs to be corrected?
20 A Since I'm not the primary author --
21 Dr. Findling is -- I would discuss it with him.
22 Q You would discuss it with him first?
23 A Absolutely.
24 Q And then -- okay. Let's go down to table
25 3, which is SHAP B patients. Do you see that?

Page 98

1 A Correct.
2 Q Now, we can agree when we looked at this
3 earlier that what a table does is it gives you the
4 number of subjects, the number of adverse events, and
5 you get a percentage. And that's what we see in the
6 table; correct?
7 A Correct.
8 Q Okay. Now, we can also agree that we
9 looked at earlier the cutoff of age 10 --
10 A Correct.
11 Q -- in terms of gynecomastia; correct?
12 A Correct.
13 Q Okay. If you look at the number of
14 patients with at least one SHAP -- do you see that?
15 A Yes.
16 Q Okay. It says 13 or 2.2 percent.
17 A Yes.
18 Q Now, do you agree with me that the 592
19 number is keeping in those male subjects over the age
20 of 10 with gynecomastia?
21 A Both the tables are done with the same
22 analyses, yes.
23 Q Okay. And if you look at the reports of
24 SHAP by preferred terms, gynecomastia in males would
25 have five cases or .8 percent; correct?

Page 99

1 A Correct.
2 Q I'll represent to you that the number of
3 males under the age of 10 is 255. If that's correct,
4 assuming for purposes of my question, that should be
5 the number for the denominator; would you agree?
6 A That's another way of doing the analysis,
7 yes.
8 Q It is the more accurate manner to do the
9 analysis; would you agree?
10 A It's a manner in which I would do it in
11 2012.
12 Q And again in table 3, we have the female
13 analysis. Again, it's eight into 592 or 1.4. It
14 should be eight into 103 in 2012; would you agree?
15 A I understand what you're saying.
16 Q Now, the readers of this article, if they
17 went to this article and went to this table, are going
18 to get a percentage of less than one of males
19 developing gynecomastia under the age of 10 who are on
20 Risperdal.
21 That's what they're going to glean from this
22 table; correct?
23 A Yes.
24 Q If it was accurate and had 255 males, it
25 would almost double; is that right?

Page 100

1 A Yes.
2 Q You can put that aside.
3 MR. ESSIG: We've been going for about an
4 hour. This is probably a good time for a break.
5 MR. GOMEZ: Sure. Short break.
6 VIDEOGRAPHER: Going off the record at
7 11:33 a.m.
8 (Recess from 11:33 a.m. to 11:41 a.m.)
9 VIDEOGRAPHER: Going back on the record at
10 11:41 a.m.
11 BY MR. GOMEZ:
12 Q Dr. Daneman, before we get into the next
13 exhibit, have you reviewed in the course of getting
14 ready for this deposition or at any time any of the
15 expert reports in this case? By both sides?
16 A No.
17 Q No? Looking at Exhibit 6, it's an email
18 and an attachment. Do you see that?
19 A I do.
20 (Whereupon, Exhibit No. 6 was marked for
21 identification.)
22 BY MR. GOMEZ:
23 Q And it's from Carin Binder on Tuesday,
24 July 16, 2002, to Gahan Pandina; do you see that?
25 A I do.

Page 101

1 Q Do you know Dr. Pandina?
2 A No.
3 Q Have you ever met him?
4 A I'm unaware of that.
5 Q Do you know Vincent Nys?
6 A No.
7 Q In the subject is "Draft Prolactin
8 Manuscript"; do you see that?
9 A I do.
10 Q And you're not on this email; correct?
11 A Correct.
12 Q It reads, "Hi Gahan, as promised. If there
13 are glaring omissions, please let me know. Thanks,
14 Karen." Do you see that?
15 A I do.
16 Q And then if we turn the page, it's the
17 attachment; do you agree?
18 A I do.
19 Q Going down to the bottom left side, it
20 says, "Revised July 16, 2002"; do you see that?
21 A I do.
22 Q It's entitled "Prolactin Levels in Children
23 and Adolescents with Long-Term Risperidone Use"?
24 A Yes.
25 Q And again, the authors that are in the 2003

Page 102

1 final published version that we just looked at in
 2 Exhibit 5; do you see that?
 3 A Yes.
 4 Q Do you remember seeing a July 16, 2002,
 5 draft of the final article?
 6 A I saw drafts of the articles. I can't
 7 recall any one with a specific date.
 8 Q If we go to -- I believe if you look on the
 9 right-hand corner, Doctor, there's a JJRE number; do
 10 you see that?
 11 A Yes.
 12 Q If you go to the JJRE number ending in
 13 22 --
 14 A Got it.
 15 Q -- in the shaded portion, it reads, under
 16 the "Conclusion" section, "There was no direct
 17 correlation between prolactin elevation and the
 18 occurrence of SHAP, EPS, or efficacy." And then it
 19 says in parenthesis "Ann confirm." Do you see that?
 20 A I do.
 21 Q Do you know who Ann is?
 22 A No.
 23 Q If you could go to page ending in 33. Are
 24 you there?
 25 A I'm there.

Page 103

1 Q Okay. See the paragraph beginning "Patient
 2 demographics"?
 3 A Yes.
 4 Q Okay. It reads, "Patient demographics and
 5 pre-dose characteristics were compared between the PA
 6 and non-PA populations using the chi-square test for
 7 categorical data or T test for continuous data."
 8 A Yes.
 9 Q "The chi-square test was also used to
 10 compare the percentage of the patients who experience
 11 SHAP, EPS, or responders on the conduct problem
 12 subscale of the NCBRF in patients with a mean
 13 prolactin level above the upper limits of normal
 14 versus patients with a mean prolactin level within the
 15 normal range."
 16 Did I read that correctly?
 17 A Correct.
 18 Q And what this is referring to is the type
 19 of test that was done to compare kids with prolactin
 20 above the upper limits of normal versus kids with
 21 normal prolactin in the studies that got adverse
 22 events like gynecomastia; am I right?
 23 A Correct.
 24 Q And the chi-square test is a test that
 25 would show an association; correct?

Page 104

1 A Correct.
 2 Q If I go do here, it says, "Correlation
 3 coefficients were calculated to assess correlation
 4 between prolactin levels and age and score on the
 5 conduct problem subscale of the NCBRF." And a
 6 correlation coefficient is a test for whether or not
 7 there's a correlation; correct?
 8 A Correct.
 9 Q If you could go to the page ending in --
 10 page -- Bates stamp ending in the JJRE number and 40.
 11 Are you there?
 12 A I'm there.
 13 Q If you go down to the paragraph beginning
 14 with, "The percentage of children with SHAP." Do you
 15 see that?
 16 A Yes.
 17 Q It reads, "The percentage of children with
 18 SHAP was assessed for patients with prolactin levels
 19 above the ULN versus patients with prolactin levels
 20 within the normal range at the various analysis time
 21 periods."
 22 Did I read that right?
 23 A Yes.
 24 Q "The proportions were all comparable except
 25 for the weeks eight to 12 time period, in which

Page 105

1 7.8 percent of patients who had prolactin above the
 2 ULN had SHAP at some point during the trial, while
 3 2.9 percent of patients with prolactin levels within
 4 the normal range at weeks eight to 12 experience SHAP
 5 at some time during the study. P is less than 0.02."
 6 Do you remember seeing this, what we just read?
 7 A No.
 8 Q Is this the first time you've ever seen it?
 9 A I can't answer that question.
 10 Q What is being told to the reader, based on
 11 what we just read?
 12 A It's a very complex sentence which most
 13 readers won't understand. In time period eight to 12
 14 weeks, 7.8 percent of patients who had a high
 15 prolactin level had SHAP at some point during the
 16 trial, while 2.9 with normal levels also had SHAP.
 17 And there's a difference between these two.
 18 This is a contortionist assessment.
 19 Q Okay. You think this is a contortionist
 20 assessment, what we just read?
 21 A It's very difficult to know what the
 22 relationship is between the SHAP and the timing of
 23 this elevation of prolactin and whether, at the time
 24 they had what's called a SHAP, they, in fact, had an
 25 elevation of prolactin.

1 So it's not a sentence that I would like to keep
2 in a paper.

3 Q We can agree that what's being written here
4 is the results of the chi-square analysis comparing
5 children with prolactin levels above the upper limits
6 of normal versus children with prolactin levels below
7 the upper limits of normal.

8 And it's showing that kids with elevated
9 prolactin had a much higher percentage or a higher
10 percentage of side effects versus those with prolactin
11 levels below the upper limits of normal; is that fair?

12 MR. ESSIG: Objection to form and
13 foundation.

14 THE WITNESS: I don't think it's fair. My
15 analysis here is the SHAP and the prolactin level are
16 not comparable because they don't -- the statement
17 there doesn't tell you that the complication occurred
18 at the time that the prolactin level was higher.

19 BY MR. GOMEZ:

20 Q Okay. Do you remember when we looked at
21 the chart of the prolactin level where it peaked at
22 weeks four to eight and then eight to 12 at just below
23 the peak level? So they were above the upper limits
24 of normal at weeks eight to 12; is that correct?

25 A Yes. Yes.

1 Q Okay. The P is less than 0.02. Is that
2 statistically significant?

3 A It is.

4 Q What does that mean?

5 A That the chance of finding this difference
6 by chance alone is less than 2 percent.

7 Q And it's evidence of any type of
8 relationship that --

9 A Yes.

10 Q -- that the paper was designed to look for;
11 correct?

12 A Yes. But read the next sentence. "There
13 was no statistical difference in the percentage of
14 (INAUDIBLE) whether or not prolactin levels were
15 normal or above." So it's difficult to know what one
16 point in time means and the timing of the SHAP.

17 Q But we can agree, based on the prolactin
18 level chart we looked at earlier -- we can go back and
19 look at it. But at weeks 8 to 12, prolactin was just
20 below the peak level and above the upper limits of
21 normal; correct?

22 MR. ESSIG: Objection to form.

23 THE WITNESS: Correct.

24 BY MR. GOMEZ:

25 Q Okay. And that's where they found a

1 statistically significant association between elevated
2 prolactin and SHAP for kids that went on to the rest
3 of the study?

4 A Yes.

5 Q Is that fair? Is that a fair
6 interpretation?

7 A That's fair. But they don't -- but those
8 statistics don't tell you the relationship between the
9 timing of the SHAP and the actual prolactin level at
10 that time.

11 Q But if the analysis is looking at the
12 relationship that we talked about at various analysis
13 time periods, this is a relationship that was found at
14 weeks eight to 12.

15 A Okay. So one has to be very careful in
16 statistics at how you interpret them. When you do a
17 huge number of statistical analyses in a study -- and
18 there were a huge number done here -- something is
19 going to come up that shows you relationships that may
20 or may not exist.

21 Q I'm not trying to argue with you. I guess
22 my question is this. That is an association that was
23 found?

24 A Yes.

25 Q Correct?

1 A Correct.

2 Q Would you expect to see that in the final
3 paper based on what you testified earlier about, that
4 medical literature should be balanced?

5 MR. ESSIG: Objection to the form.

6 THE WITNESS: So a paper goes into a
7 journal, and the editors of the journal send it out to
8 reviewers. The reviewers make suggestions of what can
9 be done, invariably decrease the length of the paper,
10 suggest that certain things be taken out because they
11 can't be substantiated.

12 And you revise the paper according to what they
13 say. So sometimes the final article leaves out
14 details that you would like to put in.

15 BY MR. GOMEZ:

16 Q So what you just testified there was total
17 speculation. You don't know whether or not that was
18 submitted to the journal, whether or not they told
19 them -- the authors to take it out? You have no idea?

20 A No idea.

21 Q Okay. But what we do know is that there
22 was an association found; correct?

23 A It is suggested by that.

24 Q And it was in July 16, 2002, draft of the
25 article that was eventually published?

1 A Correct.
 2 Q Correct? The draft that we looked at,
 3 which was entitled July, 2003, that was attached to
 4 the email that Mr. Essig sent me did not discuss that
 5 association; correct?
 6 A Correct.
 7 Q And in the final paper, there's no mention
 8 of it as well; correct?
 9 A Correct.
 10 Q And can we agree that the final paper said
 11 that the analysis at all the various analysis time
 12 periods of SHAP B showed no relationship? And even
 13 when kids with puberty were included, the same was
 14 found; is that correct?
 15 A Correct.
 16 Q Okay. And that's the complete opposite of
 17 what we see in this draft; correct?
 18 MR. ESSIG: Objection to the form. And
 19 foundation.
 20 BY MR. GOMEZ:
 21 Q Correct?
 22 A Correct.
 23 Q Let's go to page Bates stamp ending in 41.
 24 Now, this was an attachment to a Carin Binder email;
 25 correct?

1 A Correct.
 2 Q After the sentence that we just read in
 3 brackets, there's some comments. Do you see that, at
 4 the top?
 5 A Correct.
 6 Q It reads, "How do you want to handle the
 7 one significant value?" Do you agree that what she's
 8 asking about there or whoever wrote this is asking
 9 about is the significant value mentioned in the
 10 preceding sentence?
 11 A I presume so, yes.
 12 Q "The poster states there was no direct
 13 correlation with prolactin elevation in SHAP. What
 14 analysis was used for this?" Did I read that
 15 correctly?
 16 A Correct.
 17 Q We know, based on what we read earlier,
 18 that the chi-squared analysis was done to look for the
 19 relationship between elevated prolactin levels and
 20 SHAP; right? Yes?
 21 A Correct.
 22 Q Okay. "Can we get correlation coefficients
 23 for prolactin levels versus SHAP, as was done for
 24 prolactin levels versus age? And if no correlation,
 25 just stick with that." Did I read that correctly?

1 A Correct.
 2 Q You would agree with me that you can't do a
 3 correlation coefficient for prolactin levels versus
 4 SHAP?
 5 A You can do it. It has a different
 6 connotation.
 7 Q Don't you need two continuous variables to
 8 do a correlation coefficient?
 9 A You do.
 10 Q If you're comparing prolactin levels versus
 11 SHAP, one of those is not a continuous variable;
 12 therefore, you cannot do a correlation coefficient.
 13 You would agree?
 14 A Correct.
 15 Q You can put that aside, Dr. Daneman. Thank
 16 you. I'm going to mark as Exhibit 7 another email and
 17 attachment, sir.
 18 (Whereupon, Exhibit No. 7 was marked for
 19 identification.)
 20 BY MR. GOMEZ:
 21 Q Dr. Daneman, I've marked as Exhibit 7
 22 another email and attachment. Do you see that in
 23 front of you?
 24 A I do.
 25 Q Okay. Let's take a moment and look at the

1 email before we go to the attachment. And this email
 2 is from at the top, again, somebody forwarding the
 3 pooled prolactin manuscript. And it's from Carmen
 4 Deloria; do you know who that is?
 5 A No.
 6 Q To Joseph Lynn; do you know him?
 7 A No.
 8 Q No?
 9 A No.
 10 Q Okay. They're forwarding an email from Dr.
 11 Pandina that was sent on August 21, 2002. Do you see
 12 that below?
 13 A Yes.
 14 Q Okay. And he was sending it to Carin
 15 Binder and Goedele DeSmedt, who was one of the authors
 16 or two of the authors of the 2003 article; correct?
 17 A Correct.
 18 Q And Magali Reyes-Harde, do you see her
 19 name?
 20 A Yes.
 21 Q Okay. And then Albert Derivan is carbon
 22 copied as well as Ivo Caers; do you see their names?
 23 A I do.
 24 Q And the subject again is the pooled
 25 prolactin manuscript; correct?

1 A Yes.

2 Q Okay. He writes, "Dear Team" -- before I
3 read the email, you're not on this email, are you?

4 A No.

5 Q Okay. Do you ever -- let me read it.

6 "Dear Team, attached please find my comments. I think
7 the paper is overall constructed well and well
8 written. I think we need to include the lack of
9 association between Tanner height delay and prolactin
10 level with SHAP, as our advisors tell us that this is
11 one serious concern about prolactin."

12 "If we can demonstrate that the transient rise
13 in prolactin does not result in abnormal maturation or
14 SHAP, this would be most reassuring to clinicians. I
15 realize that these manuscripts are being developed in
16 parallel, but the relationship here is important."

17 Is he talking about the 2004 Dunbar article as
18 well, if you know?

19 A I don't know. I would presume so.

20 Q "We have also had many concerns about
21 patients who are maintained on stimulants, as this
22 might affect prolactin level, and no subanalysis were
23 included. Perhaps we can discuss prior to the next
24 revision."

25 "Congratulations on the Tanner data being

1 that, if it's high at this eight to 12 week period, at
2 some point in the later period, you may have SHAP.
3 And if it's normal there, you would have one third or
4 whatever -- 7.8 versus 2.9. And that was .02. Okay?

5 Q Right.

6 A And that's one of many investigations. And
7 that doesn't give a sense of security that there's a
8 good association or relationship in any way because
9 you've got a point here and something there. So if
10 you can demonstrate that there's normal physical
11 maturation in patients receiving any medication, it
12 would make the -- it would sort of smooth out those
13 levels of prolactin.

14 Q When you say "physical maturation," you're
15 talking about another analysis, though, that was the
16 focus of the Dunbar paper that looked at the same five
17 studies; correct?

18 A Abnormal maturation is what I would expect
19 and that's what we mean, yes. Maybe I'm attributing
20 too much information there.

21 Q My questions are focused solely on the
22 relationship between SHAP and elevated prolactin
23 levels.

24 A I don't think -- I would be attributing
25 things that I'm not sure I can.

1 accepted. Great news. Maybe this will make it easier
2 for us to include this as a sub-analysis in this
3 paper. Gahan." Did I read that right?

4 A You did.

5 Q Okay. Do you remember any discussion about
6 one serious concern about prolactin was the levels in
7 SHAP?

8 A No.

9 Q Do you know what he means when he said, "If
10 we can demonstrate that the transient rise in
11 prolactin does not result in SHAP, this would be most
12 reassuring to clinicians"?

13 MR. ESSIG: Objection to the form. You
14 just skipped the first part of that sentence.

15 BY MR. GOMEZ:

16 Q Fair enough. I'll read it again. Thank
17 you, Bill. "If we can demonstrate that the transient
18 rise in PRL does not result in abnormal maturation or
19 SHAP, this will be most reassuring to clinicians." Do
20 you know what he means when he writes that?

21 A So I have to attribute what I think he
22 means to ten years and three months later. So you're
23 okay with that?

24 Q Yes. Your best answer.

25 A So in that chi-square where you showed

1 Q Sure. I don't want you to speculate. If
2 the message of the paper was that there was no -- that
3 prolactin rise is transient and not related to side
4 effects hypothetically attributable to prolactin, does
5 the significant value that we looked at in the
6 previous draft fly in the face of that key message?

7 A It argues against the key message. It's
8 not the whole story against it because of the timing
9 and the relationship.

10 Q Understood. But it goes against the key
11 message?

12 A Yes. Often in any multiple analyses that
13 you're going to do, you're going to get intuitive and
14 counterintuitive answers. And you have to deal with
15 them.

16 Q If you have a significant value at weeks
17 eight to 12 in looking at all SHAP, it also is in
18 direct conflict with the statement that there was no
19 direct correlation between prolactin levels and SHAP;
20 is that fair?

21 MR. ESSIG: Objection to the form.

22 THE WITNESS: Yes.

23 BY MR. GOMEZ:

24 Q Let's go to the email from Carin Binder
25 below that; do you see that?

1 A I do.
 2 Q This is on August 15, 2002, and this again
 3 is to Goedele DeSmedt, who's one of the authors and a
 4 Janssen employee, as well as Gahan Pandina.
 5 Ms. Binder is actually an author as well. You're not
 6 on this email, are you?
 7 A No.
 8 Q Do you see her second paragraph? "Key
 9 Message. Prolactin rise is transient and not related
 10 to side effects hypothetically attributed to
 11 prolactin, EPS, or efficacy response"; do you see
 12 that?
 13 A I do.
 14 Q Okay. And on the second page of the email,
 15 she writes, "P.S. If this needs to be sent to other
 16 people to be reviewed, please forward." Do you see
 17 that?
 18 A Yes.
 19 Q Do you know if this was ever forwarded to
 20 you?
 21 A No.
 22 Q Okay. If we can go into the draft. Go to
 23 the first page. Are you there?
 24 A Yes.
 25 Q I'm sorry. I do that too fast sometimes.

1 Again, we can agree, if you look at the bottom, it's
 2 July 30th, 2002. The authors are all the same. We
 3 can agree it's another draft of the final article that
 4 was published in 2003?
 5 A Yes.
 6 Q Okay. In the interests of time, let me
 7 take you right back to Bates stamp ending in JJRE 192.
 8 Let me know when you're there, sir.
 9 A I'm there.
 10 Q Okay. Let me point your attention to the
 11 second or the first full paragraph beginning, "The
 12 percentage of children with SHAP." Okay? Do you see
 13 that?
 14 A Yes.
 15 Q This is the part of the analysis comparing
 16 elevated prolactin levels in SHAP; correct?
 17 A Correct.
 18 Q And it reads, "The percentage of children
 19 with SHAP was assessed for patients with prolactin
 20 levels above the upper limits of normal versus
 21 patients with prolactin levels within the normal range
 22 at the various analysis time periods."
 23 Did I read that correctly?
 24 A Correct.
 25 Q It says, "The proportions were all

1 comparable except for weeks eight to 12 time period,
 2 in which 7.4 percent of patients who had prolactin
 3 above the upper limits of normal had SHAP at some
 4 point during the trial while 2.9 percent of patients
 5 with prolactin levels within the normal range at weeks
 6 eight to 12 experienced SHAP at some time during the
 7 study."
 8 And it has the P factor of .02; correct?
 9 A Correct.
 10 Q And here is another comment, and it's
 11 attributed to Gahan Pandina, based on his name. Do
 12 you see that at the end of the comment?
 13 A I do.
 14 Q And he writes, "This may be notable as this
 15 could be seen to suggest that patients who show an
 16 initial rise during the peak period above upper limits
 17 of normal do have a higher propensity for SHAP. I
 18 think we need to discuss this somewhere in the
 19 manuscript."
 20 What does he mean by a higher propensity for
 21 SHAP?
 22 MR. ESSIG: Objection to the form.
 23 BY MR. GOMEZ:
 24 Q You can answer.
 25 A I think he means a higher propensity for

1 SHAP.
 2 Q What does that mean?
 3 A A higher likelihood.
 4 Q And then he wrote, "I think we need to
 5 discuss this somewhere in the manuscript"; correct?
 6 A Correct.
 7 Q Was this discussed in the final manuscript
 8 that we looked at?
 9 A No.
 10 Q Do you think it should have been?
 11 A I don't know what was submitted to the
 12 journal and what they kept in and what they removed.
 13 So I don't know the answer to that question.
 14 Q Based on -- in your opinion, as one of the
 15 authors, is this something that you would have liked
 16 to have seen in the manuscript in terms of being
 17 balanced?
 18 MR. ESSIG: Objection to the form.
 19 THE WITNESS: Nine-plus years later or
 20 ten-plus years later, probably.
 21 BY MR. GOMEZ:
 22 Q If you can go to Bates stamp ending in 196.
 23 Do you see the third -- second -- third full paragraph
 24 beginning, "As a post-hoc analysis"?
 25 A I do.

Page 122

1 Q It reads, "As a post-hoc analysis of pooled
2 data, these results should be considered exploratory
3 in nature. However, the fact that the initial rise in
4 prolactin levels with risperidone was transient and
5 subsided to normal values reduces the safety concerns
6 regarding long-term treatment in children."
7 "This is reinforced by the lack of direct
8 correlation between elevated prolactin levels in SHAP
9 and EPS." That sentence, "This is reinforced by the
10 lack of direct correlation," is that a correct
11 statement?
12 A Well, there was an association between
13 SHAP -- between prolactin levels at the peak eight to
14 12 week and SHAP some time along the way.
15 Q If he's saying here that a lack of direct
16 correlation -- okay -- if he's specifically talking
17 about correlation, it's not possible because the test
18 is impossible to do; is that correct?
19 MR. ESSIG: Objection to the form. Calls
20 for speculation.
21 THE WITNESS: So there's a statistical
22 interpretation of the word "correlation."
23 BY MR. GOMEZ:
24 Q Let me rephrase the question, if you were
25 done with your answer.

Page 123

1 A Yes.
2 Q Maybe it was a poor question. The
3 statement is made, "There was no direct correlation
4 between elevated prolactin levels in SHAP." Correct?
5 A Correct.
6 Q Yet we can agree that a correlation
7 coefficient is impossible to do for that analysis?
8 A Correct.
9 Q So the term that there's no direct
10 correlation is not correct --
11 MR. ESSIG: Objection.
12 BY MR. GOMEZ:
13 Q -- unless the word is being used
14 interchangeably with another word for relationship or
15 association; is that fair?
16 MR. ESSIG: Objection to the form.
17 THE WITNESS: Fair.
18 BY MR. GOMEZ:
19 Q He goes on to write, "If possible, this
20 would be the place to specifically mention or /discuss
21 the lack of correlation between Tanner delay and
22 prolactin level or SHAP. I believe that if we are
23 unable to include this, it will hurt the overall
24 impact of the paper."
25 Did I read that correctly?

Page 124

1 A Correct.
2 Q Do you agree with that?
3 A I'd have to reflect on it a long period of
4 time because it's the first time I'm seeing that.
5 Q When he means hurt the overall impact of
6 the paper -- strike that. The purpose of the paper is
7 to educate the medical community; correct?
8 A Correct.
9 Q Is the purpose of the paper to impact the
10 number of sales for a drug?
11 A No.
12 MR. ESSIG: Objection to the form.
13 BY MR. GOMEZ:
14 Q Should it ever be the purpose of a medical
15 paper?
16 A No.
17 Q So how could it hurt the overall impact of
18 the paper if the statistically significant association
19 at weeks eight to 12 was discussed in the final
20 manuscript?
21 MR. ESSIG: Objection to the form.
22 Foundation. Calls for speculation.
23 THE WITNESS: I'd have to speculate.
24 BY MR. GOMEZ:
25 Q Okay. Would doctors who saw that, if they

Page 125

1 were concerned about the relationship between
2 Risperdal's prolactin elevation and things like
3 gynecomastia, be less likely to prescribe the drug if
4 there was a direct correlation?
5 MR. ESSIG: Same objections.
6 THE WITNESS: Can I just have the final
7 manuscript back for a second?
8 BY MR. GOMEZ:
9 Q Sure.
10 Can you read my question back. And see if you
11 can answer it.
12 (The reporter read the record as requested.)
13 MR. ESSIG: Same objections.
14 BY MR. GOMEZ:
15 Q Can you answer that?
16 A So in the actual manuscript that's
17 published, the last paragraphs are different from
18 this. And they do warn about the deficiencies in the
19 analysis in the long-term group with the control
20 group --
21 Q Can you specifically point me where you're
22 going to?
23 A 1168, last paragraph on the right-hand
24 column.
25 Q Okay. Let me read that out loud.

1 A In the second last, "The clinical
2 implications of the novel findings of this study are
3 many. First, clinicians can expect that, in the vast
4 majority of children and adolescents exposed to
5 long-term therapy with risperidone in these doses" --

6 Q Slow down.

7 A -- "prolactin levels will be raised early
8 in the treatment course but will revert to levels
9 within normal limits, in some cases (INAUDIBLE)
10 without change of dose. Furthermore, only a very
11 small percentage of children and adolescents treated
12 with risperidone in this fashion will develop SHAP
13 that require intervention."

14 And at 7.8 and 2.9 percent, that's still a small
15 percentage. So I don't think that the outcome says --
16 misleads in any way, shape, or form.

17 Q Stay on that page, Doctor. If you go up to
18 the paragraph beginning, "Only 13 of 592."

19 A Yes.

20 Q The sentence reads, "No correlation was
21 found between SHAP and prolactin levels even when male
22 gynecomastia during puberty was included." Do you see
23 that?

24 A I do.

25 Q And based on the drafts that we've looked

1 the record at 12:17 p.m.

2 BY MR. GOMEZ:

3 Q Dr. Daneman, you just said that the
4 statement that there was no direct correlation between
5 elevated prolactin levels and SHAP was inaccurate;
6 correct?

7 A Looking back from the vantage point we are
8 at now.

9 Q Were you aware that Janssen in its
10 communications with the United States Food and Drug
11 Administration told them that and leading up to the
12 approval for the treatments --

13 A I'm not aware of that.

14 MR. ESSIG: Objection to the form.

15 BY MR. GOMEZ:

16 Q Let me rephrase the question. Were you
17 aware that Janssen, in the communications with the FDA
18 leading up to the approval to treat the symptoms of
19 irritability associated with autism, which was
20 eventually approved in 2006, that Janssen told the FDA
21 in August and December of 2005 that there was no
22 direct correlation between elevated prolactin levels
23 and SHAP?

24 MR. ESSIG: Objection. Form and
25 foundation. Assumes facts.

1 at, that is a completely untrue statement; you would
2 agree?

3 MR. ESSIG: Objection to the form.

4 THE WITNESS: That's not directly according
5 to the interpretations of the statistics we've
6 discussed.

7 MR. GOMEZ: Can you read that back, that
8 answer?

9 (The reporter read the record as requested.)

10 BY MR. GOMEZ:

11 Q So you would agree with me that that
12 sentence that I just read, "No correlation was found
13 between SHAP and prolactin levels even when male
14 gynecomastia during puberty was included," is
15 incorrect?

16 MR. ESSIG: Objection.

17 THE WITNESS: Is inaccurate.

18 MR. GOMEZ: Fair enough. Let's change the
19 tape.

20 VIDEOGRAPHER: This marks the end of
21 videotape No. 2 in the deposition of Dr. Denis
22 Daneman. Going off the record at 12:14 p.m.

23 (Recess from 12:14 p.m. to 12:17 p.m.)

24 VIDEOGRAPHER: Here begins videotape No. 3
25 in the deposition of Dr. Denis Daneman. Going back on

1 THE WITNESS: I'm not aware of the
2 submission to the FDA.

3 BY MR. GOMEZ:

4 Q If that statement relied on the same study,
5 the same five studies that this article relies upon,
6 it's still inaccurate; you would agree?

7 MR. ESSIG: Objection to the form.

8 THE WITNESS: It depends on the efficacy
9 and side effect ratio that's present.

10 BY MR. GOMEZ:

11 Q Let me rephrase the question. The question
12 is -- it's a hypothetical question. Assume for
13 purposes of my hypothetical that the statement by
14 Janssen to the FDA that there was no direct
15 correlation between prolactin elevation and SHAP
16 relied upon the same five studies that the 2003
17 Findling article relied upon, it would still be an
18 inaccurate statement; would you agree?

19 MR. ESSIG: Objection to the form and
20 foundation.

21 THE WITNESS: The statement is inaccurate.

22 BY MR. GOMEZ:

23 Q Thank you. Let me mark as Exhibit 8 a
24 printout from the www.psychiatrist.com abstract dated
25 November 27, 2012.

1 (Whereupon, Exhibit No. 8 was marked for
 2 identification.)
 3 BY MR. GOMEZ:
 4 Q I'll show that to you. Take a quick second
 5 and look at it.
 6 MR. ESSIG: Take as long as you need,
 7 Doctor.
 8 MR. GOMEZ: Yes. I didn't mean that, Bill.
 9 He can take as long as he wants.
 10 MR. ESSIG: Okay.
 11 THE WITNESS: Would you like me to comment
 12 on this?
 13 BY MR. GOMEZ:
 14 Q A quick question, and then I'd love to hear
 15 your comment. The Exhibit 8 that I just gave you is
 16 a, for lack of a better term, an abstract of the 2003
 17 article that we've been talking about today; correct?
 18 A Correct.
 19 Q And the conclusion, again, is there was no
 20 direct correlation between prolactin elevations and
 21 SHAP; correct?
 22 A It's not the conclusion again. It's the
 23 2003 publication. This is not an updated publication.
 24 It will never be updated. This is the way it will sit
 25 for the rest of time in memorium. It's done. It's

1 finished.
 2 Q Do you feel an obligation as a pediatric
 3 endocrinologist and an author of this page to correct
 4 the inaccurate statement --
 5 MR. ESSIG: Objection to form.
 6 MR. GOMEZ: I'm not done with my question.
 7 MR. ESSIG: Sorry.
 8 BY MR. GOMEZ:
 9 Q -- that there was no direct correlation
 10 between prolactin elevations and SHAP?
 11 A Sir, I felt an obligation all along to
 12 follow part of the literature in this area to see what
 13 would happen to it. And unless I've missed a huge
 14 amount, there's precious little that informs
 15 physicians since 2003.
 16 And any paper that appears in the literature is
 17 merely a snapshot in time. I'm sure many people would
 18 change a sentence or two or an interpretation going
 19 back. But there is a long period of time, and the
 20 opportunity for observations of studies and things
 21 that suggest what's going on, and there's not a whole
 22 lot out there in the literature.
 23 I think you're aware of that.
 24 Q But you will agree with me that we don't
 25 want literature out there that's inaccurate; do you

1 agree?
 2 MR. ESSIG: Objection to the form.
 3 THE WITNESS: My suspicion is in many
 4 papers there are sentences that you would focus on.
 5 BY MR. GOMEZ:
 6 Q Sure. But we can agree that the purpose of
 7 that paper is to educate the medical community about
 8 any association or any relationship between elevated
 9 prolactin levels and SHAP; agree?
 10 A Agree.
 11 Q Okay. And we can also agree that the
 12 statement that there was no direct correlation between
 13 elevated prolactin levels and SHAP is inaccurate;
 14 agree?
 15 MR. ESSIG: Objection to form. Objection.
 16 Asked and answered.
 17 THE WITNESS: I'd have to state what I said
 18 before. It has a certain inaccuracy to it.
 19 BY MR. GOMEZ:
 20 Q You agree that it's inaccurate?
 21 MR. ESSIG: Objection to the form.
 22 Objection. Asked and answered.
 23 THE WITNESS: Yes.
 24 BY MR. GOMEZ:
 25 Q And this statement, "There's no direct

1 correlation" on Exhibit 8 is from 2012; correct?
 2 A It's going to be there forever.
 3 Q Does the drug company whose studies were
 4 the impetus for this paper and where three or two to
 5 three of the authors were company employees have an
 6 obligation to correct it?
 7 MR. ESSIG: Objection to the form.
 8 THE WITNESS: So when something is
 9 submitted to the FDA or Health Canada, there is a very
 10 careful analysis that's done by the in-house people
 11 that looks at a whole variety of different things,
 12 looks at the source documents, looks at a huge number
 13 of things, and turns down a huge variety of different
 14 pharmaceutical agents.
 15 They didn't turn this down.
 16 BY MR. GOMEZ:
 17 Q Right. But were you aware that, in
 18 August -- in May of 2005, the FDA sent a non-approval
 19 level to Janssen on the autism indication and stated
 20 that all the information submitted to date was
 21 insufficient?
 22 Were you aware of that?
 23 MR. ESSIG: Objection. Form and
 24 foundation.
 25 THE WITNESS: No.

1 BY MR. GOMEZ:
 2 Q Were you aware that, within that same
 3 letter in May of 2005, the FDA specifically addressed
 4 or focused to Janssen their concerns with elevated
 5 prolactin and long-term sequelae? Were you aware of
 6 that?
 7 A No.
 8 MR. ESSIG: Objection to the form and the
 9 characterization of the letter.
 10 BY MR. GOMEZ:
 11 Q Were you aware that Janssen in response
 12 stated that, based on the review of the same five
 13 studies that we talked about here today, stated there
 14 was no direct correlation between elevated prolactin
 15 levels and SHAP?
 16 MR. ESSIG: Objection to form.
 17 THE WITNESS: I'm not aware of the format
 18 of the FDA submission.
 19 BY MR. GOMEZ:
 20 Q Sure. And we agree that the -- I asked you
 21 earlier the question or the statement to the FDA, if
 22 based on the same five studies, is inaccurate;
 23 correct?
 24 A That statement is inaccurate.
 25 Q Let me mark as Exhibit 9 an email sent to

1 you from Dr. Arlan Rosenbloom.
 2 A Thank you.
 3 (Whereupon, Exhibit No. 9 was marked for
 4 identification.)
 5 MR. ESSIG: Do you have an extra copy?
 6 MR. GOMEZ: I don't. Sorry. Do you want
 7 me to make copies?
 8 MR. MOBLEY: Yes. Or can I see it.
 9 MR. GOMEZ: Yes. Let's pass it down. Do
 10 you want to take a second?
 11 MR. MOBLEY: Yes.
 12 MR. ESSIG: This is 9?
 13 MR. GOMEZ: I think so.
 14 BY MR. GOMEZ:
 15 Q Doctor, let me know when you're ready. I
 16 just have a few short questions.
 17 A I'm ready any time.
 18 Q Okay. Do you know Dr. Arlan Rosenbloom?
 19 A For a long period of time.
 20 Q Okay. He's a pediatric endocrinologist?
 21 A He's a pediatric endocrinologist.
 22 Q Were you aware that he was an expert
 23 witness for the plaintiffs in this litigation before
 24 you received that email?
 25 A I can't recall if I was aware or not.

1 Q I believe you responded in your response
 2 that you were not at liberty -- I'm paraphrasing, of
 3 course. And correct me if I'm wrong.
 4 A I said --
 5 Q Why don't you read what you said.
 6 A "Since the issues you raised are currently
 7 sub judice, I think the only prudent response to this
 8 at this time is to thank you for your email and to
 9 promise to reflect further on your comments."
 10 Q My question is straightforward and simple.
 11 Do you have any comments now on what he wrote you?
 12 A I find this to be an unprofessional
 13 behavior.
 14 Q By Dr. Rosenbloom?
 15 A Absolutely and completely.
 16 Q Okay.
 17 A Unprofessional to the extent that I think
 18 perhaps he should be reported to his local medical
 19 association for tampering with a witness.
 20 Q Okay. Even if he had no knowledge that you
 21 were a witness?
 22 A How would something arrive on a Monday
 23 morning or Sunday night at 11:00 when I'm going to be
 24 giving a deposition later the same week nine years
 25 after its publication? Please help me.

1 Q Are you implying that I asked him to send
 2 that to you?
 3 A I'm implying that he must have had
 4 information that -- I'm not implying that you asked
 5 him to send it to me, but I'm implying that he must
 6 have known that I was a witness this week. I've
 7 written 300 articles.
 8 I'm sure there are inaccuracies in more than one
 9 article. I haven't gotten comments nine years later.
 10 Q Okay. Now that you have had a chance to
 11 read what he wrote, do you have anything to comment
 12 upon?
 13 A His statement No. 1, "Reference is made to
 14 females with less than 31 days of breast enlargement,
 15 brackets, gynecomastia, end quotes, I cannot imagine
 16 that you and Tom read the final draft with this
 17 zinger."
 18 We specifically put in the female with less than
 19 31 days of breast development for a reason. And the
 20 "brackets, gynecomastia" was that breast development
 21 in the female is equal to the gynecomastia in the
 22 male. So that was perhaps not explicit enough.
 23 We put it in because there are girls who go into
 24 puberty and have a sort of stuttering entry into
 25 puberty where they will have breast development on one

1 visit and not on the next. So if you have nothing,
 2 nothing, nothing, some breast development, nothing,
 3 nothing, nothing, that was what we wanted to exclude.
 4 The mean height and weight, there was a long
 5 discussion about standard deviation scores. And this
 6 was not relevant to the discussion we had to date in
 7 large part. And the third is what we have discussed.
 8 And there are data looking at the long-term outcome.
 9 And the data -- the further data do not answer
 10 the question once and for all.
 11 Q Answer what question?
 12 A The question of the relationship or
 13 correlation between SHAP and prolactin levels.
 14 Q Is there anything else?
 15 A He does believe that there's a strong
 16 correlation between gynecomastia and risperidone.
 17 Q Do you disagree with that?
 18 A I think, at most, there's a weak
 19 correlation or association.
 20 Q But association or correlation nonetheless;
 21 correct?
 22 A But it's quite weak. It's put in the
 23 balance of efficacy versus side effects.
 24 Q When you say "efficacy," efficacy in
 25 treating what?

1 A Treating the issues that are being treated
 2 with the risperidone, which is not the side effects.
 3 It's the indications for its use.
 4 Q What if risperidone is being prescribed for
 5 sleep? Does the benefits outweigh the risks?
 6 MR. ESSIG: Objection. Form and
 7 foundation.
 8 THE WITNESS: I have no comments on that
 9 use.
 10 BY MR. GOMEZ:
 11 Q What about autistic children?
 12 A I'm not going to comment on that either.
 13 Q But you just commented upon the efficacy
 14 versus the risks. Why won't you comment upon it when
 15 questioned with certain diagnoses?
 16 A Well, there are certain written indications
 17 for its use. And I personally don't prescribe it at
 18 all. I've never written a prescription for
 19 risperidone in my life.
 20 Q Have you ever written a prescription for
 21 any other atypical antipsychotic?
 22 A No, I haven't.
 23 Q Thanks for answering my questions.
 24 A Thank you.
 25 MR. ESSIG: Let's take a break. I want to

1 regroup and sit over here.
 2 MR. GOMEZ: Sure.
 3 VIDEOGRAPHER: Going off the record at
 4 12:31 p.m.
 5 (Recess from 12:31 p.m. to 12:41 p.m.)
 6 VIDEOGRAPHER: Going back on the record at
 7 12:41 p.m.
 8 EXAMINATION
 9 BY MR. ESSIG:
 10 Q Good afternoon, Dr. Daneman. My name is
 11 Bill Essig. I represent the Janssen defendants. And
 12 I was going to ask you some follow-up questions here
 13 from some of the things that Mr. Gomez has asked. One
 14 of the things I wanted to talk about with you was
 15 again the process by which the manuscript was prepared
 16 that led to the 2003 Findling article, as we've been
 17 calling it, about prolactin that we've talked about a
 18 lot today. Okay?
 19 A Yes.
 20 Q Okay. And we saw earlier that Carin Binder
 21 from Janssen in Canada had contacted you about
 22 reviewing data from five of the studies of Risperdal
 23 use in children with disruptive behavior disorder.
 24 That's the data that you reviewed; correct?
 25 A Correct.

1 Q And Miklos Schulz is a statistician that
 2 was hired by Janssen, as you understand it, to pull
 3 data from those five studies and put together a data
 4 package relating to prolactin and side effects such as
 5 gynecomastia for you and Dr. Moshang and the other
 6 coauthors to review; is that your understanding?
 7 A Correct.
 8 Q Okay. And that occurred in the fall of
 9 2001; is that right?
 10 A The timing of it, I can't give you, but I
 11 think you get that from the email trail.
 12 Q Okay. I'd like to hand you what we've
 13 marked as Exhibit 10 to your deposition. If you can
 14 take a minute to look at that, and when you'd ready to
 15 answer a question, let me know.
 16 (Whereupon, Exhibit No. 10 was marked for
 17 identification.)
 18 THE WITNESS: Okay.
 19 BY MR. ESSIG:
 20 Q So Dr. Daneman, you've now had a chance to
 21 review Exhibit 10. It's an email from January 23 of
 22 2002 sent by Carin Binder; do you see that?
 23 A Correct.
 24 Q And the email went to a variety of people
 25 inside the Janssen organization. And do you see that

1 on the "To" line and the "cc:" line?
 2 A I do.
 3 Q Okay. And you were not a recipient of this
 4 email, were you?
 5 A Correct.
 6 Q And the subject here is prolactin expert
 7 meeting. And would it be fair to say that Exhibit 10,
 8 this email, is essentially a report by Carin Binder
 9 about what happened at the meeting about the prolactin
 10 data that was held in Toronto on January 22, 2002?
 11 A Correct.
 12 Q Okay. So this was an internal summary of
 13 what happened at the meeting; is that your
 14 understanding?
 15 A Yes.
 16 Q Okay.
 17 MR. GOMEZ: Objection. Form.
 18 BY MR. ESSIG:
 19 Q And she writes, "A quick update on the
 20 prolactin expert meeting held in Toronto January 22,
 21 2002. Attendees included two ped endos, T. Moshang
 22 and D. Daneman, and two psychs, B. Findling and V.
 23 Kusumaker."
 24 First of all, Doctor, do you remember attending
 25 a meeting here in the Toronto area in January of 2002

1 with other physicians to discuss the prolactin data
 2 that ultimately was discussed in the Findling article?
 3 A I do.
 4 Q And in addition to the doctors listed --
 5 well, first of all, do you remember these doctors
 6 being present at the meeting?
 7 A I remember Tom Moshang because I've known
 8 of him for a long time, and this is the first time
 9 we've actually had opportunity to spend time together.
 10 And I know Kusumaker was there, and there were other
 11 people on the phone.
 12 I can't remember Bob Findling being there in
 13 person.
 14 Q But he was a participant in the meeting
 15 whether on the phone or in person?
 16 A Yes.
 17 Q And there were other people present at the
 18 meeting, presumably Carin Binder, because she wrote
 19 this summary?
 20 A Yes.
 21 Q Do you recall roughly how long this meeting
 22 took place -- how long the meeting lasted, rather?
 23 A It started about 8:30 or 9:00 in the
 24 morning and, I think, went to just after lunchtime.
 25 Q So fair to say, roughly four to six hours

1 spent in this meeting with these other physicians --
 2 A Correct.
 3 Q -- to discuss the data regarding prolactin
 4 from Janssen studies?
 5 MR. GOMEZ: Objection to form.
 6 THE WITNESS: Yes. I can't exactly say the
 7 length of it. I wouldn't put a limit to it.
 8 BY MR. ESSIG:
 9 Q Okay. And do you have an independent
 10 recollection of participating in the meeting?
 11 A You mean of being there?
 12 Q Yes.
 13 A Yes.
 14 Q And tell us what was discussed at the
 15 meeting in general, to the extent that you can recall?
 16 MR. GOMEZ: Objection to form. You can
 17 answer.
 18 THE WITNESS: I think the one thing that I
 19 remember the most is that Tom Moshang and I had to
 20 make sure that we were on the same wavelength in terms
 21 of thinking through different things because there was
 22 some girls in whom a breast development was reported
 23 at one point in time and not the visit before or the
 24 visit after.
 25 And that couldn't be looked at as a side effect

1 because this sometimes happens with this intermittent
 2 type of puberty that occurs in some girls. And it was
 3 very difficult to evaluate what gynecomastia meant in
 4 pubertal boys because of the background frequency of
 5 pubertal gynecomastia.
 6 And the discussion was that I think we both felt
 7 that the levels weren't within our level of experience
 8 to be associated with gynecomastia.
 9 BY MR. ESSIG:
 10 Q And although you've just said this was the
 11 first time you met Dr. Moshang in person, would it be
 12 fair to say were you familiar with his writing and his
 13 stature in the pediatric endocrinology community?
 14 A Absolutely.
 15 Q And Dr. Moshang was a well respected
 16 endocrinologist?
 17 A Absolutely.
 18 MR. GOMEZ: Objection to form.
 19 BY MR. ESSIG:
 20 Q And having reviewed the email here, is
 21 Karen Binder's summary of the meeting, at least as far
 22 as what's in the email, accurate as far as you recall?
 23 MR. GOMEZ: Objection.
 24 THE WITNESS: What she reports here is very
 25 similar to what I wrote on that document in essence to

Page 146

1 myself, my sort of notes to self, that No. 1.
2 BY MR. ESSIG:
3 Q That was Exhibit No. 1. That's right. I'm
4 sorry. And turning back to the email here and the
5 action items. Do you see that about two thirds of the
6 way down the page?
7 A I knew nothing about Brain Works being
8 hired to write the manuscript.
9 Q Well, let me ask you a question first. I
10 just wanted to make sure you were here. Did you get
11 to action items?
12 A Yes.
13 Q So let me read what she wrote here. "The
14 additional analysis plan has been written up and sent
15 to all participants for review. Brain Works has been
16 hired to write the manuscript on the results and write
17 an abstract and poster for ACAP in October."
18 "Authors will include Moshang, Daneman,
19 Findling, Kusumaker. To discuss inclusion of Janssen
20 people as authors." Did I read that accurately?
21 A Yes.
22 Q Are you familiar with Brain Works?
23 A No.
24 Q And at the end of the meeting, I take it
25 there was a decision among the group that it would be

Page 147

1 worthwhile to disseminate this data to other
2 physicians?
3 A Correct.
4 Q And the formats that were discussed were
5 doing an abstract and poster for one of the child and
6 adolescent psychiatry meetings; is that fair to say?
7 A Yes, because that is what actually was
8 done.
9 Q Okay. And the other mode of disseminating
10 this data was to prepare a manuscript which ultimately
11 became the Findling article; is that right?
12 A Correct.
13 Q You can feel free to put that aside. I'd
14 like to hand you what's been marked here as Exhibit 11
15 to your deposition, Doctor. You can take a moment to
16 review it, and when you've looked at it, let me know.
17 And I'll ask you a couple of questions.
18 (Whereupon, Exhibit No. 11 was marked for
19 identification.)
20 THE WITNESS: Okay.
21 BY MR. ESSIG:
22 Q There's a two-page attachment here to the
23 email; do you see that, Doctor?
24 A Okay.
25 Q So Doctor, Exhibit 11 on the first page, we

Page 148

1 have an email message from Carin Binder, dated
2 January 30, 2002; do you see that?
3 A I do -- the lower one, yes.
4 Q Yes. And in the "To" line, there's a list
5 of several email addresses, and it includes your
6 address there; do you see that?
7 A I do.
8 Q That's your email address?
9 A It is.
10 Q And we have other email addresses here for
11 others involved in the manuscript, including the other
12 authors such as Dr. Moshang, Dr. Findling, Dr.
13 Kusumaker; do you see that?
14 A I do.
15 Q And the subject here is "Prolactin
16 Analysis." Is that right?
17 A Correct.
18 Q And Carin Binder writes, "Dear All, thank
19 you to the people who gave input into the analysis
20 plan. Attached please find the final plan. Miklos
21 and Ann, may I ask you to please proceed with the next
22 analysis and contact me if you have any questions."
23 "We are looking to submit an abstract by
24 February 15, 2002, and would appreciate if we could
25 obtain some preliminary data, please." Do you see

Page 149

1 that, Doctor?
2 A I do.
3 Q Do you remember getting this email at all?
4 A I remember the emails going back and forth.
5 The specificity, no. But getting emails, yes.
6 Q But this is part of the process in which
7 you and the other coauthors communicated about the
8 data plan and the analysis that you were performing?
9 A Correct.
10 Q The next line, it writes, "Dr. Moshang and
11 Daneman, would you please identify the categories of
12 medications, e.g. psychostimulants, antidepressants,
13 close parenthesis, which may influence prolactin. And
14 Al Derivan will scan the patient listings to identify
15 those medications."
16 Do you remember having any involvement in making
17 this identification of these medications that might
18 influence prolactin?
19 A I have no recollection.
20 Q Okay. Then the next two pages of the
21 document here, Doctor, that's the data analysis plan
22 that Carin Binder was referring to in the cover email;
23 is that right?
24 A Correct.
25 Q And this basically discusses what data the

1 statisticians, Miklos Schulz and his associates, would
2 pool from the Janssen clinical trial databases and how
3 you would analyze that data; is that fair to say?

4 A Correct.

5 Q And the results of that analysis ultimately
6 became part of the manuscript that you and the
7 coauthors prepared; is that right?

8 A Yes.

9 MR. GOMEZ: Objection to form.

10 BY MR. ESSIG:

11 Q Okay. All right. Doctor, because you were
12 involved in the data analysis that we've just talked
13 about today, ultimately you agreed to be an author of
14 what we are calling the Findling article; is that
15 right?

16 A Correct.

17 Q And as a coauthor of this article, do these
18 conclusions in the Findling article reflect your
19 independent clinical judgment as a pediatric
20 endocrinologist?

21 MR. GOMEZ: Objection to form.

22 THE WITNESS: Yes.

23 BY MR. ESSIG:

24 Q And I'm sorry. I'm done with that exhibit,
25 if you want to put it aside.

1 A Okay.

2 Q Doctor, did anyone at Janssen, any
3 personnel, whether a coauthor of the article or
4 otherwise, ever ask you to make changes to the article
5 that you did not agree with?

6 A No.

7 Q And did anyone at Janssen, whether a
8 coauthor of the article or anyone else, ever try to
9 change the article in any ways that you did not
10 approve?

11 A Not that I can recall.

12 Q Okay. Doctor, would you have signed on to
13 the Findling article as a coauthor if you did not
14 agree with its analysis and conclusions?

15 A Sir, can I give a longer answer than yes or
16 no?

17 Q Sure.

18 A So Miklos Schulz had worked at The Hospital
19 for Sick Children, where I work, for some time, some
20 years before. And I had a lot of respect for his
21 abilities as a statistician. And I felt reassured
22 that he was independent as a statistician in this
23 process.

24 So if I thought that there was something that
25 was untoward, I wouldn't have signed up for it.

1 Q And we've talked today about some issues
2 with some of the statistical tables in the article
3 that in 2012 you might -- you might have expressed
4 differently; is that fair to say?

5 A Correct.

6 Q And aside from some of those issues that
7 have been talked about earlier, do you still, as you
8 sit here today, stand behind the conclusions of the
9 Findling article?

10 MR. GOMEZ: Objection to form.

11 THE WITNESS: So in the nine years since
12 the publication of that article, there's not been a
13 lot of information in the literature. If something is
14 going to come up as a serious complication, you would
15 expect there to be a literature that develops because
16 no one article changes the face of medicine
17 indefinitely.

18 It's, if you will, held as fact or implication
19 of fact for only as long as until the next article
20 that's more definitive. And I have seen precious
21 little in the way of articles that have come out on
22 this topic.

23 BY MR. ESSIG:

24 Q Doctor, I'd like to hand you what we've
25 marked as Exhibit 12 to your deposition. It's an

1 article from 2007 from the Journal of Biological
2 Psychiatry, authored by Dr. George Anderson and
3 others.

4 The title is "Effect of Short- and Long-term
5 Risperidone Treatment on Prolactin Levels in Children
6 with Autism."

7 (Whereupon, Exhibit No. 12 was marked for
8 identification.)

9 BY MR. ESSIG:

10 Q If you want to take a moment to familiarize
11 yourself with that.

12 A I've read this in the past.

13 Q That was my first question. Have you
14 reviewed this article before?

15 A I have.

16 Q Okay. And Doctor, the Anderson article is
17 a review of prolactin data from a study of Risperdal
18 use in 101 children with autism that had symptoms of
19 aggression, tantrums, or self-injurious behavior; is
20 that right?

21 A Correct.

22 Q And this is a study run by psychiatrists
23 and researchers at various universities and medical
24 centers in the U.S. that was part of a group known as
25 RUP. Are you familiar with that?

Page 154

1 A I'm not familiar with RUP, but I know this
2 was a multi-center study.
3 Q Okay. And the RUP group and this study is
4 something that was funded by the National Institute of
5 Mental Health in the U.S., not by Janssen; is that
6 your understanding?
7 A That's my understanding.
8 Q And of the group of patients here, as they
9 note in the method section, 30 of them actually were
10 followed up after 22 months of Risperdal use; do you
11 see that, Doctor?
12 A Where are you? Procedures? Yes, yes.
13 Q And the study measured prolactin in these
14 patients on Risperdal and also assessed the patients
15 for side effects such as gynecomastia, galactorrhea,
16 and menstrual problems. Did you see that, Doctor, on
17 page 547?
18 A I did.
19 Q Okay. And the authors wrote at the top of
20 page 547, "These adverse effects were rated as absent
21 in all subjects at all times, although it is possible
22 that a drug-induced weight gain may have masked or
23 obscured gynecomastia."
24 Did I read that correctly, Doctor?
25 A You did.

Page 155

1 Q And does this mean that the RUP study, as
2 reported here in the Anderson article, found no cases
3 of gynecomastia in these subjects?
4 A Correct.
5 Q What is the clinical significance to you,
6 Doctor, as a pediatric endocrinologist, of this study
7 and this article in light of your earlier article on
8 the Janssen data on prolactin and gynecomastia?
9 MR. GOMEZ: Objection to form.
10 THE WITNESS: It's almost identical.
11 BY MR. ESSIG:
12 Q Identical in what way, Doctor?
13 A Well, it reaches the conclusions that
14 Risperdal treatment was associated with the two to
15 four-fold mean increase in the (INAUDIBLE) with autism
16 although these increases (INAUDIBLE.)
17 Q So --
18 A It doesn't answer definitively the
19 question. Certainly it suggests a low order of side
20 effects.
21 Q I just have a few more cleanup questions
22 here, Doctor. All right. Doctor, I'd like to hand
23 you what we've marked as Exhibit 13 to your
24 deposition.
25 (Whereupon, Exhibit No. 13 was marked for

Page 156

1 identification.)
2 BY MR. ESSIG:
3 Q Dr. Daneman, can you tell us what
4 Exhibit 13 is?
5 A It's an assessment of the pooled databases
6 with respect to growth and sexual maturation and the
7 evidence that was available. The analysis was done by
8 Miklos Schulz on these databases. And I was involved
9 in looking at the data that he had.
10 Q So this is a copy of an article from the
11 American Journal of Psychiatry published in May of
12 2004 with the title of "Growth and Sexual Maturation
13 During Long-Term Treatment with Risperidone." Is that
14 accurate?
15 A Correct.
16 Q And the authors on this article are
17 yourself, Miklos Schulz, the statistician; is that
18 right?
19 A Yes.
20 Q And then Dr. Kusumaker and Fiona Dunbar
21 from Janssen; is that right?
22 A Correct.
23 Q And we've heard a little bit about this
24 study today, but would it be fair to say that this was
25 a sort of a follow-on study from the earlier analysis

Page 157

1 of the data that had been done as part of the effort
2 that led to the Findling article?
3 MR. GOMEZ: Objection to form.
4 THE WITNESS: This is the growth data on
5 those that had growth data available.
6 BY MR. ESSIG:
7 Q From the five studies that were discussed
8 in the earlier article; right?
9 A Correct.
10 Q Okay. And Doctor, what was your conclusion
11 in this article about the effects of long-term
12 treatment of Risperdal on growth and sexual
13 maturation?
14 A In these studies using these doses of
15 risperidone, growth and sexual development appear to
16 be normal.
17 Q And on the second page at the end of this
18 article, Doctor, there's a listing here about the fact
19 that this article had been presented as a poster in
20 part in San Francisco in October of 2002 at the
21 meeting of the American Academy of Child and
22 Adolescent Psychiatry.
23 Do you see that, Doctor?
24 A I do.
25 Q Do you know if you were involved in that

1 poster presentation for this article?
 2 A I was not at that meeting.
 3 Q Okay. And the other article, the Findling
 4 article, that had been presented as a poster at some
 5 point; are you aware of that?
 6 A I'm aware of that. I was not at the
 7 poster.
 8 Q Okay. And is it not uncommon that not all
 9 the authors would necessarily be involved in
 10 presenting a poster for a particular article; is that
 11 fair to say?
 12 A Inevitably one or at the most two would be
 13 there.
 14 Q Okay. And I also want to note here on the
 15 same paragraph on the article, Doctor, that it notes
 16 that this manuscript was received at the American
 17 Journal of Psychiatry April 2, 2003. Revisions
 18 received September 16th and October 28th, 2003.
 19 And the article was accepted October 31, 2003.
 20 Did I read that accurately?
 21 A You did.
 22 Q And Doctor, does that reflect to you that
 23 there was an exchange with the peer reviewers and with
 24 the journal regarding edits to this article and that
 25 ultimately, after that editorial process, the journal

1 accepted this article?
 2 A So what would have happened is reviewed --
 3 sent in April 2, reviewed and sent back to us.
 4 Changed. Went back on September 16th. Came back
 5 pretty quickly with a few minor changes and was
 6 accepted a month later.
 7 Q Okay. And my point being that, Doctor,
 8 there's a give and take in the peer-review process
 9 between the authors and the reviewers and the journal
 10 that may lead to changes in an article; is that fair
 11 to say?
 12 A Today most decent journals accept something
 13 below 33 percent, maybe 25 percent, maybe 15 percent
 14 of articles submitted. So most journals reject
 15 articles that are not of sufficient scientific
 16 standard.
 17 And the ones that they do accept, they often ask
 18 for major decreases in length or major changes in the
 19 way it's written.
 20 Q Okay. And as part of Mr. Gomez's
 21 deposition notice for the deposition today, he had
 22 asked you to look for any materials that may be
 23 related to the peer-review process for both the
 24 Findling article in 2003 and the what I'll call the
 25 Dunbar article from 2004.

1 Did you go back and look for those materials?
 2 A I looked.
 3 Q And other than what you had produced
 4 earlier today attached to the email that Mr. Gomez
 5 marked as Exhibit -- I believe it's 4 -- did you have
 6 any other materials relating to the peer-review
 7 process for these two articles?
 8 A I had none. I moved office in 2006, and I
 9 culled everything that wasn't necessary in the move
 10 from one place to the next.
 11 Q But ultimately, it's fair to say that, for
 12 both of these articles, they would have been reviewed
 13 by peer-reviewed clinicians or academics who would
 14 have made comments on the article. And there would
 15 have been some kind of editorial process before the
 16 articles were ultimately published in their journals;
 17 is that fair to say?
 18 A They these are peer-reviewed publications,
 19 both of them.
 20 Q Doctor, I don't think I have any more
 21 questions at this time. Thank you.
 22 A Thank you.
 23 MR. MOBLEY: I don't have any questions.
 24 MR. GOMEZ: Doctor, I just have a few
 25 follow-ups.

1 EXAMINATION
 2 BY MR. GOMEZ:
 3 Q If you could put the RUP article back in
 4 front of you again. I think it's Exhibit 12.
 5 Mr. Essig showed you this article. It's a journal
 6 article, Society of Biological Psychiatry, in 2007;
 7 correct?
 8 A Correct.
 9 Q Okay. If you look on the first page,
 10 there's a sentence that begins, "Direct effects of
 11 elevated prolactin on breast tissue lead to
 12 galactorrhea in females and gynecomastia in males."
 13 Do you agree with that?
 14 A Where are we looking?
 15 Q Right over here, Doctor, about halfway down
 16 the right column. It begins, "Direct effects."
 17 A Yes.
 18 Q Okay. Again, the sentence reads, "Direct
 19 effects of elevated prolactin on breast tissue lead to
 20 galactorrhea in females and gynecomastia in males."
 21 Do you agree with that?
 22 A No, I don't agree with that in that it's
 23 not a direct effect. It's an impact of it on the
 24 (INAUDIBLE) that leads to changes. So it's an
 25 indirect effect somehow.

Page 162

1 Q Whether it's direct or indirect, would you
2 agree with me that elevated prolactin can cause
3 gynecomastia in males?
4 A You know, I'll say two things to that. I
5 personally have never seen it as a condition, No. 1.
6 And No. 2, at the levels that are present in the
7 articles that we are talking about, I've certainly
8 never seen it.
9 So I would have to -- having seen it in those
10 levels in many different situations, I'd have to say
11 that I have difficulty buying into that. And if you
12 notice, that statement is not referenced. So it's a
13 tough one to substantiate very easily.
14 Q Okay. It was written by George M.
15 Anderson, Lawrence Scahill, and James T. McCracken,
16 among others. Do you see those names?
17 A It's in the medical literature, but I'm
18 unconvinced by it being a direct effect and at those
19 lower levels. Let's leave it at that.
20 Q Okay. In the next paragraph, do you see
21 where it says "however"?
22 A However?
23 Q "There's accumulating evidence that
24 children treated with typical neuroleptics and
25 risperidone often exhibit modest to marked elevations

Page 163

1 in prolactin?
2 A Correct.
3 Q And the Findling article is cited, the 2003
4 article; correct?
5 A Yes.
6 Q Then it says, "Compared to adult patients,
7 there is even greater uncertainty regarding the
8 clinical implications of elevated prolactin in
9 children and adolescents." Do you agree with that
10 statement?
11 A Correct. So they didn't make an assumption
12 from reading our article, the first one, that there
13 was a definitive answer to the questions you've been
14 asking today.
15 Q Okay. You would agree with me, by reading
16 the Findling article in 2003, the authors of this
17 article did not see the statistically significant
18 association between elevated prolactin levels and side
19 effects; agree?
20 MR. ESSIG: Objection to the form.
21 THE WITNESS: I'm not sure. They may have
22 seen the raw numbers and seen that there wasn't a huge
23 number of children with these problems.
24 BY MR. GOMEZ:
25 Q Sticking with this article, there's a

Page 164

1 couple more things I want to ask you about. If you go
2 to page 548, it's in the top left. Are you there?
3 A I'm there.
4 Q Okay. Up on the right-hand column, if you
5 go down the --
6 A Okay.
7 Q There's a sentence beginning, "Findling et
8 al. 2003"; do you see that?
9 A Yes.
10 Q Okay. It reads, "Findling et al. 2003
11 emphasized an apparent downward trend in serum
12 prolactin when examined longitudinally from weeks four
13 to seven through study end point at weeks 40 to 48."
14 Did I read that correctly?
15 A Correctly.
16 MR. ESSIG: Chris, I'm lost.
17 MR. GOMEZ: I'm sorry. 548. Right there.
18 MR. ESSIG: Thank you.
19 BY MR. GOMEZ:
20 Q In this article, there's no mention of a
21 statistically significant association at weeks eight
22 to 12?
23 A Correct.
24 Q You were asked whether or not, looking at
25 the final paper, you stand by the conclusions of the

Page 165

1 article. I don't think you ever answered the
2 question. Yes or no?
3 MR. ESSIG: Objection to the form.
4 THE WITNESS: The conclusions of --
5 BY MR. GOMEZ:
6 Q Let's get the final article.
7 A The conclusion of the article reads as
8 follows: "With long-term risperidone treatment in
9 children and adolescents, serum prolactin levels tend
10 to rise and peak within the first one to two months
11 and then steadily decline to values within or very
12 close to the normal range by three to five months."
13 I stick by those conclusions.
14 Q You stick by the conclusion that you just
15 read in the abstract; correct?
16 A Yes.
17 Q Okay. Can I have that for just a second?
18 Do you stand by the statement, "There was no direct
19 correlation between prolactin elevations and SHAP"?
20 A In the light of different approaches to
21 statistical analysis, that has to be reevaluated.
22 Q Do you stand by the statement, "There was
23 no direct correlation between prolactin elevation and
24 SHAP"?
25 MR. ESSIG: Asked and answered.

Page 166

1 BY MR. GOMEZ:
2 Q It asked for a yes or no answer. Do you
3 have one?
4 A Given the later study which doesn't show
5 any of those side effects, which confirm our findings,
6 it appears that -- to say yes, that this is a
7 defective sentence is correct. But does it nullify
8 all the findings in that study?
9 I do not think so.
10 Q The study you're referring to is the 2007
11 article that you looked at?
12 A So what one does --
13 Q Yes or no answer, and then you can answer.
14 A Yes.
15 Q Okay.
16 A Okay. So the Findling article is in the
17 literature. It's flawed by that sentence, yes.
18 That's the answer to your question, yes. The
19 subsequent literature looking at a not too dissimilar
20 group of children comes up with very similar levels of
21 prolactin and comes up with a very similar finding in
22 terms of the side effects.
23 In fact, it finds none. So the medical
24 literature is a series of building blocks. Sometimes
25 the one block that's added topples the whole thing

Page 167

1 over, and you have to start again. Sometimes it adds
2 and creates a firm foundation.
3 This article -- and I couldn't find a whole lot
4 of other articles that showed the reverse. This
5 article adds to the Findling article in suggesting
6 what happens to the prolactin levels in response to
7 risperidone and the fact that there are not a lot of
8 side effects.
9 Q One or two more questions. The Findling
10 article of 2003 --
11 A Yes?
12 Q -- was designed to explore any relationship
13 with side effects hypothetically attributable to
14 prolactin and risperidone treatment; agree?
15 A Correct.
16 Q They found a statistically significant
17 association at weeks eight to 12 in the all-inclusive
18 SHAP A category; correct?
19 A Correct.
20 Q Yet this article failed to report that; do
21 you agree?
22 A It reported it in an incorrect way.
23 Q Did the article --
24 A So "failed" suggests malice aforethought to
25 me, and there was certainly none on the parts of

Page 168

1 Findling, Moshang, and Daneman.
2 Q You interpret my use of the word "failed"
3 as malice aforethought or intentional?
4 A Well, there's some suggestion of it in the
5 discussion that's been going on today.
6 Q Assume for purposes of my question, when I
7 say "fail," I mean that it was not in the article or
8 the omission thereof. Okay?
9 A Yes.
10 Q Could have been done in a negligent manner,
11 not with malice aforethought. This article failed to
12 report the statistically significant association
13 between elevated prolactin levels and SHAP; do you
14 agree?
15 MR. ESSIG: Objection to the form. And
16 foundation.
17 THE WITNESS: I think that's your
18 assessment, yes.
19 BY MR. GOMEZ:
20 Q Do you agree with my assessment?
21 A To a degree, yes.
22 Q Fair enough. Thank you. That's all I
23 have.
24 A Thank you.
25 MR. ESSIG: Doctor, thank you for your time

Page 169

1 today.
2 VIDEOGRAPHER: This marks the end of
3 videotape No. 3 and today's proceedings in the
4 deposition of Dr. Denis Daneman. Going off the record
5 at 1:19 p.m.
6 (Whereupon, at 1:19 p.m., the deposition was
7 concluded.)
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF SHORTHAND REPORTER

I, Virlana Kardash, Registered Professional Reporter and Commissioner of Oaths in and for the Province of Ontario, before whom the foregoing proceedings were taken, do hereby certify that the witness whose testimony appears in the foregoing pages was duly sworn by me; that the testimony of said witness was taken by me stenographically at the time and place noted in the caption hereof and thereafter reduced to computer-aided transcription by me; that the foregoing transcript is a true and correct record of the proceedings; and that I am neither counsel for, related to, nor employed by any of the parties to this case and have no interest, financial or otherwise, in the outcome of the action.

Virlana Kardash, RPR, CSR
Certified Shorthand Reporter
and Commissioner of Oaths

My certificate expires:
December 2, 2013

TORONTO COURT REPORTERS - 416-364-2065